


1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

BEFORE THE
FLORIDA PUBLIC SERVICE COMMISSION

DOCKET NO. 070703-EI

In the Matter of:

REVIEW OF COAL COSTS FOR PROGRESS
ENERGY FLORIDA'S CRYSTAL RIVER
UNITS 4 AND 5 FOR 2006 AND 2007.



VOLUME 3

Pages 352 through 478

ELECTRONIC VERSIONS OF THIS TRANSCRIPT ARE
A CONVENIENCE COPY ONLY AND ARE NOT
THE OFFICIAL TRANSCRIPT OF THE HEARING,
THE .PDF VERSION INCLUDES PREFILED TESTIMONY.

PROCEEDINGS: HEARING

BEFORE: CHAIRMAN MATTHEW M. CARTER, II
COMMISSIONER LISA POLAK EDGAR
COMMISSIONER KATRINA J. McMURRIAN
COMMISSIONER NANCY ARGENZIANO
COMMISSIONER NATHAN A. SKOP

DATE: Tuesday, April 14, 2009

TIME: Commenced at 9:40 p.m.

PLACE: Betty Easley Conference Center
Room 148
4075 Esplanade Way
Tallahassee, Florida

REPORTED BY: LINDA BOLES, RPR, CRR
JANE FAUROT, RPR
Official FPSC Reporters
(850) 413-6734/(850) 413-6732

APPEARANCES: (As heretofore noted.)

DOCUMENT NUMBER - DATE
03999 APR 29 08

FPSC - COMMISSION CLERK

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESSES

NAME:	PAGE NO.
DAVID J. PUTMAN	
Cross Examination by Mr. Burnett	355
Redirect Examination by Mr. McGlothlin	424

EXHIBITS

NUMBER:		ID.	ADMTD.
55	Staff's 2nd Interrogatory No. 24, Docket 090001	380	
56	Indonesian Coal Price	386	
57	Effects of Blending Sodium in Coal	447	
58	Sulfur Evaluation Treatment	463	

P R O C E E D I N G S

(Transcript follows in sequence from
Volume 2.)

CHAIRMAN CARTER: Good morning. I'd like to call this hearing to order. When last we left, we were getting ready for Mr. Burnett to begin his cross-examination. Good morning, sir. You are recognized.

MR. BURNETT: Thank you, sir.

DAVID J. PUTMAN

continues his testimony under oath from Volume 2:

CROSS EXAMINATION

BY MR. BURNETT:

Q. Good morning, Mr. Putman.

A. Good morning.

Q. Mr. Putman, what's a Btu?

A. It is a measure of chemical energy that can be converted into heat.

Q. And you admit that Crystal River Units 4 and 5 individually need at least 11,000 Btus to meet full load capacity of those plants; correct?

A. That is the testimony, and the design would indicate that. Yes.

Q. And you agree that if the coal that PEF buys does not meet the Btu criteria needed to obtain full

1 load capacity, PEF has to get those Btus from somewhere
2 else; correct?

3 **A.** No, I wouldn't exactly agree with the way you
4 phrased that. That -- the coal that's burned on an
5 ongoing basis does require that kind of 11,000 or maybe
6 even a little higher than that based on the testimony
7 last time, but it requires a Btu level going into the
8 boiler on an ongoing basis in order to maintain full
9 load during that hourly time period. If you're
10 questioning about -- I'm sorry. Go ahead. I won't go
11 into that.

12 **Q.** No, sir. Please finish your answer.

13 **A.** I was going to say if you're talking about an
14 annual basis, that's one thing. If you're talking about
15 an hourly basis, that's another.

16 **Q.** Well, this may be a long day, Mr. Putman.
17 Let's turn to Page 57 of your deposition. And when I
18 asked you the question, the same one I just read you,
19 "And you would agree with me that if the coal that PEF
20 buys does not meet the Btu criteria needed to obtain
21 full load capacity, it has to get those Btus from
22 somewhere else; right?" Your answer, "That's correct."

23 **A.** Okay.

24 **Q.** Did I read that correctly, sir?

25 **A.** I'm sure you did. I don't, I don't have my

1 deposition in front of me. I'm sorry. But I will
2 accept what you read.

3 Q. Okay. It looks like you're getting a copy
4 now.

5 And going on, sir, to get in my, my little
6 example I did, to get those additional 2,000 Btus, PEF
7 would have to buy coal; right?

8 A. You said something about a little example.
9 What example?

10 Q. Yes, sir.

11 A. I'm sorry.

12 Q. I just said that if PEF needs 11,000 and they
13 only have 9,000, those Btus aren't going to appear out
14 of thin air; correct? We have to buy something to get
15 those Btus to get in the plant; correct?

16 A. Again, I'm really not trying to be difficult,
17 but 11,000 Btus is a measure of a heat available in
18 coal. If it's 11,000 Btus per pound, that's a measure
19 of Btus in the coal. If you're talking about 11,000
20 Btus just by themselves, I honestly don't have a
21 reference point for what that means.

22 Again, if you're talking about 11,000 Btus per
23 pound of coal, that's the amount of quality of coal you
24 need to be putting into the boiler on an ongoing, steady
25 basis in order to generate full load. Yes. That's --

1 and if, I mean, you can't just go buy -- if you're only
2 putting in 9,000 Btus per pound into the boiler, you
3 can't go buy 2,000 Btus and make that up. I mean -- I'm
4 sorry. I'm --

5 Q. Were you, were you finished, sir?

6 A. Yes.

7 Q. Okay. And I want to give you your point of
8 reference. Let's go back to your deposition again when
9 I asked you, Page 57, Line 16, "So, for example, if they
10 bought just a 9,000 Btu coal and they needed 11,000,
11 they'd have to make those Btus up somewhere; right?"
12 Your answer, "Correct." "And those Btus, they just
13 won't come out of thin air. You've got to buy something
14 to get them; right?" Your answer, "Correct." Did I
15 read that properly?

16 A. Yes.

17 Q. Thank you, sir.

18 Now you remember Exhibit 2 from your
19 deposition; correct? It looks like this. It's in the
20 blue packet in front of you, and that was handed out to
21 the Commission yesterday.

22 A. Okay.

23 Q. And at Pages 64 to 67 of your deposition we
24 walked through this exhibit and you confirmed that my
25 math was correct, did you not?

1 **A.** Yes.

2 **Q.** And you would agree with me that this is a
3 simple illustration of the Btu topic we were just
4 discussing; right?

5 **A.** Again, I think we were both operating on the
6 assumption, I know I was, in answering your questions
7 that when we talked about Btus, we were talking in terms
8 of Btus per pound. We were short-handing Btus per
9 pound. It's the quantity -- quality of coal. And if I
10 didn't make myself clear in the deposition, I apologize.
11 But I, I mean, yes, Btus per pound is what I was talking
12 about, had in mind, and I shorthanded that when I said
13 1 Btu compared to one ton.

14 **Q.** Okay. Well, Mr. Putman, you would agree with
15 me that we agreed in your deposition that in my simple
16 example if 1,000 times of this coal blend equals 2,000
17 Btus and 1,000 times of this coal blend equals
18 1,500 Btus, that if your objective is to reach 2,000
19 Btus, if you use this blend that only has the 1,500,
20 you're going to have to buy some more coal. Didn't we
21 agree to that?

22 **A.** Yes.

23 **Q.** Okay. Now I'd also like to turn to my big
24 blowup here of your Exhibit DJP-7. It's also in the
25 handout materials.

1 **MR. McWHIRTER:** Excuse me for interrupting,
2 but could we identify these demonstrative exhibits in
3 some fashion in case we need to refer to them in a
4 subsequent pleading?

5 **MR. BURNETT:** I -- this was -- the first one I
6 showed was late-filed, I'm sorry, Exhibit 2 to Mr.
7 Putman's deposition. And as I just said, sir, this is
8 Exhibit 7 to his prefiled testimony.

9 **MR. McGLOTHLIN:** John, is that the original or
10 the revised 7?

11 **MR. BURNETT:** Original.

12 **BY MR. BURNETT:**

13 **Q.** Are you with me, Mr. Putman?

14 **A.** I am.

15 **Q.** And much like the simple example that we just
16 went over, we talked about in your deposition the fact
17 that while your tons matched up in your two examples,
18 your Btus did not. Do you remember that?

19 **A.** Yes.

20 **Q.** And at the end of that when we talked about it
21 in your deposition, you agreed with me that if your
22 objective is to make the Btus match, you would need to
23 buy some more coal; correct?

24 **A.** That would be correct.

25 **Q.** You also agree with me that when we were

1 talking about these issues in your deposition, your
2 original position was that this Commission in Docket
3 060658 only cared about the weight of coal coming into
4 Crystal River and not about the Btu values that the coal
5 would have; isn't that right?

6 **A.** That was my position and I would say it is
7 still my position.

8 **Q.** I'm sorry, sir. You said it's still your
9 position?

10 **A.** Yes.

11 **Q.** Well, as I asked you more questions in your
12 deposition, you backed off that position and admitted
13 that this Florida Public Service Commission has never
14 said that it would be prudent or wise for PEF to ignore
15 the Btus it needs to run Crystal River Units 4 and 5 and
16 to just make sure a certain amount of weight arrived at
17 the plant, didn't you?

18 **A.** And I would still agree with that position
19 too.

20 **Q.** So you would admit, sir, that if I have a
21 certain amount of tons of rock show up at Crystal River,
22 this Commission is not going to be satisfied that I just
23 bought a certain amount of weight of rock to run those
24 plants; right?

25 **A.** I would agree with that hypothetical.

1 **Q.** Now after, after I took your deposition and
2 after my company filed its rebuttal testimony, I think
3 we've all acknowledged that you had filed an amendment
4 to your testimony; correct?

5 **A.** Correct.

6 **Q.** And now you give two alleged total damages
7 numbers in your amended testimony, but you're careful to
8 admit that the lower number of \$33,971,584 is based on a
9 methodology that is consistent with the assumptions that
10 the Commission laid -- made in the last case; correct?

11 **A.** No, I'm not agreeing with that. Discussions
12 that occurred after the depositions and other issues
13 focused me in on the methodology needed to come up with
14 a solution to a specific time frame, a specific set of
15 issues in order to capture all the values in that
16 package. That's what was needed to be presented to the
17 Public Service Commission. And so that is why in my
18 original case in going through all the discussions that
19 went on in the prior case, all the discussions were
20 about the needs of the blend, what size blend we were
21 going to have. A lot of discussion boiled down to a
22 20 percent, 30 percent blend and finally ended up as a
23 20 percent blend. All that focus was on tonnage blend
24 by weight. There was not any discussion about the need
25 to have a total number of Btus arrive at the plant on an

1 annual basis. It was all about the percentage by weight
2 of the blend.

3 So when I went through my calculation, my
4 analysis, I very carefully pursued having a 20 percent
5 blend based on weight. But then when I got to the
6 Exhibit A in that order, there was a different equation,
7 different math that was based on balancing Btus
8 absolutely. And so that created a tension in my
9 analysis that said what's the most important thing the
10 Public Service Commission was focused on, was it weight
11 or was it balancing, balancing tons or balancing Btus?

12 When I analyzed it, did my first analysis, I
13 said that they were after the 20 percent by weight
14 blend. If you use an approach where you replace a high
15 Btu ton with a lower Btu ton, the tons are tons and you
16 end up with a by weight blend match but you don't end up
17 with as many Btus on the barge. Absolutely. So, yes,
18 in order to make up those Btus that do not arrive at the
19 plant on that barge, you have to go out and buy more
20 Btus. But if you match the Btus, you don't end up with
21 a 20 percent blend. You end up with something less than
22 a 20 percent blend.

23 So my first calculation was based on the
24 20 percent by weight. But then that left a need for
25 coal in order to make a nice complete package, and so

1 after much discussions I recognized the need in order to
2 solve this particular problem to make those Btus
3 balance. So I went in and calculated two different ways
4 to make up those Btus. One way is you could make them
5 up with all high-cost bituminous coal or you could get
6 more of the blend coal, 20/80 blend. And you would end
7 up buying more total coal either way, but you would end
8 up with balancing the Btu needs at the plant.

9 My original concept was that, yes, you've got
10 to buy Btus, but those Btus can come lots of different
11 places that make up Btus. You could buy them delivered
12 by rail, you could buy more waterborne Btus, you could
13 buy higher Btu coal to make up, or maybe because of
14 changes in the needs of the plant the plant doesn't end
15 up needing the Btus you expected to need. Maybe the
16 units go offline more than expected, maybe economic
17 situations change and the burn is lower so you don't end
18 up needing to replace all those Btus.

19 So that was my original concept and why I was
20 comfortable balancing tons because I read that that's
21 what the Public Service wanted, but I changed it and
22 balanced the Btus to make a nice complete package. Long
23 answer, but that's the history.

24 Q. Thank you, sir. Now if we could get back to
25 my question. My question was that in your amended

1 testimony you have two proposed numbers; correct?

2 A. That's correct.

3 Q. Okay. And the first of those proposed numbers
4 appear on Page 4 of your amended testimony; isn't that
5 correct?

6 A. Yes.

7 Q. And that number is \$33,971,584; correct?

8 A. Yes.

9 Q. Did you say yes, sir?

10 A. Yes.

11 Q. Okay. And on Page 4 of that same testimony at
12 Line 21 you called this 33 million and some odd dollars
13 number the all bituminous approach, do you not?

14 A. Yes.

15 Q. And then if you turn back to Page 2 of your
16 amended testimony at Line 23, you say, "One way is to
17 assume that they would consist of the same highest
18 costing tons of bituminous coal actually delivered that
19 the comparison methodology identifies as the coal that
20 the alternative would displace. That appears to be the
21 assumption underlying the refund made in the last case,
22 and I have made my calculation on that basis." Correct?

23 A. That's correct.

24 Q. Thank you.

25 Now using rough math in your lower number that

1 we just talked about of approximately \$33,900,000,
2 that's about a 45 percent reduction in your alleged
3 damages from your first testimony, isn't it?

4 **A.** I did not run that percentage, but I'll trust
5 you.

6 **Q.** Well, I agree with you, lawyers shouldn't do
7 math, should they?

8 Assuming my math is correct, a 45 percent
9 reduction, that's a dramatic reduction in your damages,
10 isn't it?

11 **A.** Again, that would be your term. It's a big,
12 it's a big change.

13 **Q.** Bear with me one second.

14 Actually it's not my term, Mr. Putman. If you
15 would turn to Page 11 of your deposition, at Line 4 I
16 say, "And I believe you even characterize this
17 40 percent difference as being dramatic in Lines 5 and 6
18 of your original testimony." And your answer is,
19 "40 percent is dramatic." Did I read that correctly?

20 **A.** Yes. Okay.

21 **Q.** So 45 percent would be equally dramatic,
22 wouldn't it?

23 **A.** Yes.

24 **Q.** Thank you. By the way, sir, in your amended
25 testimony are you assuming that any of the coal that PEF

1 would buy under your theory would be blended at the
2 Alabama State Docks near Mobile, Alabama?

3 A. Yes.

4 Q. And at least at the time of your deposition
5 you didn't know whether or not PEF even has a contract
6 that allows for blending at those docks, did you?

7 A. I did not know, and it would not have made a
8 difference in my assumptions.

9 Q. Well, let me understand that. Are you saying
10 that you're assuming that we would bring coal to a dock
11 and blend it, but it doesn't matter to you whether we
12 have the right to blend it or not?

13 A. I assume that you could get a right to blend
14 it at Alabama State Docks.

15 Q. Well, you would agree with me that if PEF does
16 not have that right in real life, then that would be a
17 problem we would have to overcome and that that would be
18 a problem in your amended testimony as well; correct?

19 A. We're getting into the whole hypothetical
20 issue is, I mean, you did not have the right to burn the
21 coal in the first place. So now we're talking about the
22 hypothetical need to have a contract to blend the coal
23 that you couldn't burn. So all those hypotheticals make
24 it very difficult to head down that line.

25 But, yes, it would be something -- if you

1 could have burned the coal, if you'd had the permit, you
2 would have had to get a contract to blend some of that
3 coal based on the numbers that existed in order to blend
4 it at the state docks. Yes.

5 Q. Okay. Well, I want to be very clear because I
6 heard Ms. Bradley commend the Office of Public Counsel
7 when we started about candor and admitting mistakes when
8 they're made. And I think you even agreed with me in
9 your deposition that if you have an error or mistake in
10 your testimony, it's very important to go back and
11 correct it, didn't you?

12 A. Yes. I would agree with that today.

13 Q. Okay. And I guess my question is if you've
14 made an assumption in your amended testimony that
15 assumes my company has the right to blend at the Alabama
16 State Docks and this Commission hears evidence from
17 another witness saying we do not have that right as a
18 matter of contract and a matter of reality, wouldn't you
19 agree with me that you need to go back and fix your
20 amended testimony based on the pure reality?

21 A. The realities -- it's a hypothetical set of
22 circumstances about what you would do if you had
23 actually bought the coal and you needed to blend
24 20 percent. If you were buying coal at IMT that did not
25 give you enough coal to blend 20 percent and you were

1 buying other coal at Mobile and you wanted to blend at
2 Mobile in order to get a full 20 percent blend, save the
3 customers the most money, then, yes, you would have to
4 get a contract under those hypothetical set of
5 circumstances.

6 Q. Okay. And I just want to be abundantly clear.
7 I'm going to try it one more time. You've read
8 Mr. Weintraub's testimony, and if he testifies that we
9 do not have a contract to blend at that dock and you've
10 assumed that in your analysis, don't you need to go back
11 and correct that in your hypothetical amended testimony?

12 A. I do not think I need to amend that in a
13 hypothetical circumstance.

14 Q. Okay. Well, I'd like to continue to talk to
15 you about some other issues that you do not address in
16 your recently amended testimony, recently filed amended
17 testimony.

18 You fully admit that in conducting your
19 analysis in this case you used forecasted SO2 allowance
20 prices for 2006 and 2007 instead of actuals, don't you?

21 A. In following the methodology in the prior
22 case, I use that same approach, yes.

23 Q. Okay. And when Ms. Bennett was talking to you
24 in your deposition about whether you had the
25 availability to get those actuals instead of outdated

1 projections, you stated that you did not have them, but
2 all anyone needed to do to get them was to pull them off
3 the Internet; right?

4 **A.** That's correct.

5 **Q.** And wouldn't you agree with me that if this
6 Commission is determining whether or not to make my
7 company pay millions of dollars in alleged damages, it
8 should consider what things actually costed in 2006 and
9 2007 rather than what someone projected they should cost
10 in 2004?

11 **A.** I guess I'm a little surprised that you're
12 taking that position because it sounds like the classic
13 definition of hindsight review, which is my
14 understanding something utilities and I know my
15 utilities would not have ever liked that. And that is
16 to look back on a decision made at a point in time and
17 decide that that decision was more right or more wrong
18 based on the way the world turned out later.

19 My understanding of prudence reviews are that
20 decisions are reviewed based on the facts and
21 circumstances known at the time the decision was made or
22 should have been made and not to hold the decider to
23 some set of circumstances that occurred later on,
24 whether those circumstances turn out to be better or
25 worse for the decision. You look at what was known at

1 the time the decision was made. And so that's what I
2 did. I used the information that was available at the
3 time the decision was made to forecast the numbers.

4 Q. Well, Mr. Putman, let me ask you this. If
5 we're dealing with prudence in real life, shouldn't we
6 do what Commissioner, Commissioner Skop suggested
7 yesterday and ignore this whole paradigm and just focus
8 on what actually happened vis-a-vis 29A that shows that
9 my company beat all the prices of what it could actually
10 have done with PRB coal? Shouldn't we abandon this
11 made-up scenario altogether and just focus on reality?

12 A. No. I think that would be a mistake for a
13 long-term way to run this business. I agree that
14 hindsight review is not a good thing to do. I think
15 prudence reviews should be based on what's known at the
16 time the decisions are made, and I think it would be a
17 serious change of course for the Commission to focus on
18 hindsight review decision-making.

19 Q. Okay. Well, if you want to stick then in the
20 made-up scenario, my question was simply shouldn't this
21 Commission use actual prices rather than projections
22 that were proven to be wrong by the actuals?

23 A. I don't agree with that.

24 Q. Okay. Continuing with the issue of SO2,
25 you're aware that Mr. Weintraub and Mr. Heller have

1 accused you of double-counting or double-dipping in your
2 alleged SO2 allowance damages; correct?

3 **A.** I know they accuse me of that, yes.

4 **Q.** Okay. And are you further aware that the
5 basis for their accusations are that PEF's coal
6 evaluation process accounts for SO2 allowance costs when
7 coals are first evaluated and ranked?

8 **A.** I never became convinced of that despite a
9 strong effort to find out how the Vista model and how
10 their non-Vista evaluation process handles sulfur.
11 Despite efforts to ask for Vista input sheets and output
12 sheets, all we received were the evaluation spreadsheets
13 that we looked at yesterday for both '06 and '07. And
14 so it was never clear to me what, how those models
15 handled sulfur.

16 **Q.** Well, let me get this straight. It was never
17 clear to you how the models work, but nonetheless you
18 filed testimony alleging first \$61 million and now
19 \$33 million when you clearly admit here today that even
20 as you sit here now you don't understand how the model
21 works?

22 **A.** I don't understand how the model works. But
23 if I run the math on what allowance values and allowance
24 costs -- the cost of a ton of coal allowance is
25 significantly higher than any of the adjustments made in

1 the evaluation process.

2 Q. Well, thanks for that. But in the, again,
3 when I asked you in your deposition if you knew how SO2
4 was evaluated and whether or not you had double dipped,
5 you honestly told me that you frankly didn't know
6 whether you had double dipped or not, didn't you?

7 A. At that time I did not know.

8 Q. Okay. And you didn't know when you wrote your
9 testimony, did you?

10 A. I did not because I did not have all the
11 information I needed.

12 Q. And as you sit here today, I believe we just
13 heard you testify under oath that you still don't know.

14 A. I don't know how the Progress Energy
15 evaluation process handles sulfur, but I know that it
16 does not use the evaluation, I mean the allowance price
17 in that process.

18 Q. Now let's continue with other issues in your
19 testimony. You're also aware, aren't you, that
20 Mr. Weintraub has accused you of understating the cost
21 of coal in 2006 and 2007 because he says that there
22 would have been a price impact of taking a three-year
23 contract bid and cutting it down to just one year? Are
24 you aware of that?

25 A. I'm aware he said that, yes.

1 **Q.** Okay. And you didn't disagree with me in your
2 deposition that optionality with respect to pricing,
3 when you can buy and how much you can buy has monetary
4 value in the coal market, did you?

5 **A.** It can have monetary value. Yes.

6 **Q.** And you even recognized, did you not, that
7 coal suppliers may hold a bid open for a few months but
8 they will not wait around forever for someone to make up
9 their mind because time is money to them; correct?

10 **A.** That is correct.

11 **Q.** And speaking of contracts, you're aware that
12 Mr. Weintraub has also accused you of failing to account
13 for damages that would have occurred due to
14 underutilization provisions in PEF's barge contract had
15 PEF bought the Indonesian coal that you suggest in 2007;
16 correct?

17 **A.** He speculated that that could create a penalty
18 from the barge contract. Yes.

19 **Q.** Okay. Well, let's talk about that, Mr.
20 Putman. You're certainly familiar with provisions in
21 coal barge transportation contracts that provide for
22 penalties if a utility does not use the barge to
23 transport a minimum amount of times, aren't you?

24 **A.** I agree that there are such provisions. I did
25 not find one in the MEMCO contract.

1 **Q.** Well, let's see. You stated in your
2 deposition that you had a copy of the barge contract but
3 you had never even considered it or read it prior to you
4 filing your testimony; correct?

5 **A.** That is correct.

6 **Q.** So at the time you filed your testimony, you
7 didn't know one way or another; correct?

8 **A.** That is correct.

9 **Q.** And the reason that you didn't know one way or
10 the other is because you said it never occurred to you
11 that there may be such issues as impacts on contracts
12 that PEF had; correct?

13 **A.** Again, my process was to use the evaluation
14 numbers off of the spreadsheets produced by your
15 company, and so I did not evaluate it in more depth than
16 that.

17 **Q.** Well, let me ask you this. You just said that
18 you didn't find such a provision in the MEMCO barge
19 contract, but if this Commission has it and another
20 witness points it out and there is such a provision in
21 there even though you couldn't find it, you would agree
22 with me that if that provision exists, you need to
23 account for that and you need to address that in your
24 amended testimony; correct?

25 **A.** I would agree if you show it to me, then I

1 will change my current testimony where I say it's not
2 there. I would change that. But whether or not it
3 would impact my evaluation, I don't really think it
4 would.

5 Q. Okay. So you -- if it's there, you'll agree
6 with me that it exists and we would have had to comply
7 with it, but you will not agree that that would have
8 caused damages and your number needs to go down?

9 A. Again, because I'm not convinced that under
10 all of the circumstances any penalty would have applied
11 if it did exist in the contract. So, I mean, you've got
12 a couple of things that would have to happen before a
13 penalty had to be applied, if one even exists in the
14 contract.

15 Q. Okay. I want to ask you some questions about
16 the 2006 coal that you allege PEF should have bought
17 from the two Kennecott bids that offered to supply PEF
18 coal from Kennecott's Spring Creek Mine in Montana. And
19 for ease of reference, I'm going to refer to that coal
20 as Spring Creek coal when I talk about it.

21 A. One company, one offer was pure Spring Creek
22 coal. The second offer was a blend of Spring Creek coal
23 and an Illinois Basin coal. So they are different.

24 Q. Fair enough. And just for ease of reference,
25 I'm going to refer to those as the Spring Creek coals.

1 **A.** Okay.

2 **Q.** Now to be clear about this, when I asked you
3 at your deposition whether or not you knew what coal
4 mine that coal came from, you had no idea, did you?

5 **A.** That's correct.

6 **Q.** In fact, you really didn't even know what
7 state the coal in 2006, the Spring Creek coal would have
8 come from, did you?

9 **A.** I did not know where the Kennecott coal would
10 come from. That's correct.

11 **Q.** And at the time of your deposition you
12 couldn't name one utility in the United States that had
13 ever even burned Spring Creek coal, could you?

14 **A.** I assume that's what I said. So, yes, I would
15 agree with that.

16 **Q.** Well, I don't want you to assume. Let me go
17 ahead and read it for you. Page 30, Line 16. "So with
18 respect to the exact coal that you were using in your
19 analysis in 2006 from the two Kennecott bids, I just
20 want to make sure I understand, can you tell me any
21 utility at all in the United States that has ever burned
22 that coal?" Your answer, "I cannot." Did I read that
23 correctly?

24 **A.** You read it correctly. And I -- at that point
25 that is correct.

1 **Q.** And you admit that in the last case, Docket
2 060658, you cannot point to one single place where this
3 Commission heard evidence on Spring Creek coal, can you?

4 **A.** I mean, that's correct.

5 **Q.** Thank you. You talk about Georgia Power's
6 Plant Scherer in your direct testimony, I believe, at
7 Page 4; correct?

8 **A.** Of my direct testimony. Okay. Okay. Yes.

9 **Q.** And Plant Scherer burns 100 percent Powder
10 River Basin coal, doesn't it?

11 **A.** That's correct.

12 **Q.** But you don't know if Plant Scherer has ever
13 burned Spring Creek coal, do you?

14 **A.** I cannot sit here today and say I know that
15 for a fact. No.

16 **Q.** Are you aware that there are, that there are
17 co-owners that own part of Plant Scherer other than
18 Georgia Power?

19 **A.** Yes. Yes.

20 **Q.** Are you aware that Florida Power & Light is a
21 co-owner of Plant Scherer?

22 **A.** Yes.

23 **Q.** And are you aware that in a public record that
24 Florida Power & Light filed with this Florida Public
25 Service Commission, FP&L stated that Plant Scherer

1 rejected the use of Spring Creek coal because the sodium
2 content of that coal was too high?

3 **A.** I'm not aware of that.

4 **MR. BURNETT:** Sir, may I approach?

5 **CHAIRMAN CARTER:** You may proceed.

6 **MR. BURNETT:** Thank you, sir.

7 **BY MR. BURNETT:**

8 **Q.** Mr. Putman, I'd like to refer you to the
9 question there. "For each request for proposal for the
10 coal issued in 2008 by Georgia Power Company/Southern
11 Company Services on behalf of FP&L for its interest in
12 Scherer Unit 4, what action was taken? Include with
13 your response a summary of the evaluation process and
14 how successful the proposals were selected." I want to
15 go down -- can you read me the highlighted section
16 there?

17 **A.** It says, "The Spring Creek offer was not
18 considered because of the high sodium content of the
19 coal."

20 **MR. BURNETT:** Mr. Chair, may I mark this as an
21 exhibit?

22 **CHAIRMAN CARTER:** Okay. I think, I believe
23 55. Let me get my notes to be sure. Staff, can you
24 help me out? I think it's 55, isn't it?

25 **MS. BENNETT:** It is 55.

1 **CHAIRMAN CARTER:** I think that will be 55.
2 And let me get my paper together here so we can give it
3 a short title.

4 Recommendation on a short title, Mr. Burnett?

5 **MR. BURNETT:** Yes, sir. It's staff's -- in
6 Docket 090001, staff's second set of interrogatories
7 number 24.

8 (Exhibit 55 marked for identification.)

9 **CHAIRMAN CARTER:** Okay. Let's try it again.

10 **MR. BURNETT:** Sorry.

11 **MR. McWHIRTER:** Can I ask who the sponsor of
12 this exhibit is so we can take them on voir dire with
13 respect to the truthfulness of the information contained
14 in the exhibit?

15 **MR. BURNETT:** Mr. Chair.

16 **CHAIRMAN CARTER:** Mr. Burnett.

17 **MR. BURNETT:** I'm not offering this for
18 truthfulness or otherwise. I simply have asked this --
19 first of all, I haven't moved it into evidence yet.
20 I've only asked this witness questions about it. I do
21 intend to move it in at the end though. And I could
22 care less whether the statement is true or not at this
23 point. I've asked the witness as to his credibility as
24 to whether he knew this, whether he's researched this.
25 This is what I'm offering this for.

1 **CHAIRMAN CARTER:** Okay. He's using it for
2 cross-examination. You may proceed.

3 **MR. BURNETT:** Thank you, sir.

4 **BY MR. BURNETT:**

5 **Q.** Mr. Putman, at least at the time of your
6 deposition you didn't know one way or another whether
7 Spring Creek coal has high sodium or not, did you?

8 **A.** No. I did know that Spring Creek coal had
9 high sodium coal. I did not tie the Kennecott bid to
10 Spring Creek. I admit that.

11 **Q.** Okay. Now let's talk about the transportation
12 costs for Spring Creek coal. You admitted to me in your
13 deposition, didn't you, that the cost of transportation
14 to get that coal from Montana to Crystal River would be
15 higher than the actual cost to buy the coal itself,
16 didn't you?

17 **A.** Yes.

18 **Q.** And despite this admission though that
19 transportation cost is the highest element of the cost
20 of the coal that you say PEF should have bought in 2006,
21 you don't even know what elements make up the
22 transportation costs that you use in your own testimony,
23 do you?

24 **A.** I've relied on the information put together by
25 Progress Energy on their evaluation sheets in an effort

1 to fully support their decision process and that is what
2 I used. I did not try to second-guess their evaluation,
3 I did not try to investigate whether their evaluation
4 was correct. I used their evaluation.

5 Q. I appreciate that, sir. But my question was
6 you don't know what elements make up those
7 transportation costs, do you?

8 A. I did not know that at the time of the
9 deposition.

10 Q. And you did not know that at the time then
11 obviously when you filed your direct testimony, did you?

12 A. That's correct.

13 Q. And do you know them as you sit here today?

14 A. Yes. I've looked since then.

15 Q. Wouldn't that have been a good idea to take a
16 look at before you filed testimony?

17 A. Not in the way I approached this analysis,
18 which was to rely on Progress Energy's numbers that they
19 put together and that they were using for their decision
20 process at the time the decision was made.

21 Q. Now I want to try to wrap up my discussion on
22 Spring Creek coal. You want to -- I want to briefly
23 talk to you about capital upgrades.

24 You agreed with me in your deposition that if
25 Crystal River 4 and 5 needed capital upgrades above and

1 beyond the upgrades that this Commission considered in
2 the last case, the cost of those upgrades should be
3 considered in the Commission's cost-effectiveness test,
4 didn't you?

5 **A.** And that -- using the word if, yes, that was a
6 correct statement.

7 **Q.** Yet despite this agreement you didn't perform
8 any analysis to determine whether PEF would need any
9 additional new and incremental capital upgrades to burn
10 the Spring Creek coal that you sponsored, did you?

11 **A.** I did not because I relied on Progress
12 Energy's evaluation process to produce the numbers that
13 they would use in their decision making at the point
14 they made a decision.

15 **Q.** And, sir, you similarly did not perform any
16 analysis on how the Spring Creek coal that you sponsor
17 may impact new environmental equipment being installed
18 at Crystal River like scrubbers, did you?

19 **A.** I did not.

20 **Q.** And you acknowledged in your deposition that
21 when plants have scrubbers and burn bituminous coals
22 like the one you suggest we should have bought,
23 utilities like Southern Company with Plant Miller, you
24 may have to consider adding very expensive capital
25 additions like baghouses to deal with mercury discharge,

1 didn't you?

2 **A.** I guess I think you need to ask that question
3 differently because you said bituminous and I think you
4 may have meant sub-bituminous.

5 **Q.** I certainly did mean sub-bituminous. Thank
6 you.

7 **A.** In terms of sub-bituminous, yes, I would agree
8 that there may be needs for additional equipment. Yes.

9 **Q.** And one of those needs may --

10 **A.** May.

11 **Q.** I'm sorry.

12 **A.** I'm sorry, but may is the word I underlined.

13 **Q.** Well, and one of those pieces of equipment as
14 you admitted in your deposition may be a baghouse;
15 correct?

16 **A.** That's correct.

17 **Q.** And you admitted to me that those can be very
18 expensive, didn't you?

19 **A.** That's correct.

20 **Q.** Now turning to Indonesian coal, do you, do you
21 dispute the fact that sometimes a coal supplier may
22 place a bid to one party and then find a better deal
23 somewhere else and make a sell to that better deal?

24 **A.** That does happen. Yes.

25 **Q.** And I think you admitted in your deposition

1 that the United States is only what you call, quote, an
2 occasional purchaser of Indonesian coal and that the
3 Asian market is booming. It's a better place for
4 Indonesia to sell their coal and only occasionally is
5 there a competitive advantage to bringing it to the
6 United States. You said that; correct?

7 A. I said that. And I said that yesterday, too.

8 Q. Now yesterday you theorized that the
9 Indonesian coal suppliers may have sold the coal that
10 they had bid to Progress Energy to Southern Company
11 instead of sticking with their bid; correct?

12 A. I saw that as a combination of circumstances
13 that indicated that was a possibility.

14 Q. Well, assuming your hypothetical is right, how
15 much do you think that Southern Company paid for that
16 coal?

17 A. They paid, I know the coal that was bought
18 from Indonesia that arrived at Scherer was expensive.

19 Q. I'm sorry. Did you say you knew it was
20 expensive?

21 A. Yes.

22 Q. Do you know how expensive it was?

23 A. I saw that, but I don't directly remember the
24 number.

25 **MR. BURNETT:** Sir, may I approach?

1 **CHAIRMAN CARTER:** You may approach.

2 Mr. Burnett, are you going to need a number
3 for this or are you just using it for cross-examination?
4 Do you want a number for identification purposes?

5 **MR. BURNETT:** Yes, sir, if I may.

6 **CHAIRMAN CARTER:** This will be, Commissioners,
7 Number 56. A short title recommendation, Mr. Burnett.
8 Short.

9 **MR. BURNETT:** Indonesian Coal Price.

10 **CHAIRMAN CARTER:** Great. You may proceed.

11 (Exhibit 56 marked for identification.)

12 **MR. BURNETT:** Thank you, sir.

13 **BY MR. BURNETT:**

14 **Q.** Mr. Putman, I'd like to draw your attention to
15 what's now been marked for identification as Exhibit 56.
16 Do you see the cost in cents per MMBtu that I have
17 highlighted there?

18 **A.** I do.

19 **Q.** Do those numbers look like the ones you recall
20 seeing?

21 **A.** Yes.

22 **Q.** So you'd have no reason to disagree with me
23 that those numbers are accurate?

24 **A.** I have no reason to disagree.

25 **Q.** Thank you. By the way, let me ask you one

1 more question on that. These are in cents per MMBtus.
2 Let's take the first one. What does 470 cents per MMBtu
3 convert into for dollars per Btu?

4 **A.** \$4.70.

5 **Q.** Thank you. Also, you can't point me to
6 anywhere in the record in Docket 060658 where this
7 Commission heard evidence on Indonesian coal, can you?

8 **A.** About Indonesian coal or a lot of other coals,
9 that's correct.

10 **Q.** And with respect to the transportation costs
11 for Indonesian coal, just like with Spring Creek coal at
12 least at the time of your deposition and the time you
13 filed testimony, you didn't know what elements make up
14 the transportation costs that you used in your own
15 testimony, did you?

16 **A.** I relied on the information produced and put
17 together by Progress Energy on their evaluation sheets
18 and counted on them to have done a good job of doing
19 that.

20 **Q.** Well, just like the Spring Creek coal, you
21 admit, don't you, that the transportation costs to get
22 Indonesian coal to the United States will be more
23 expensive than the cost to buy the coal itself; correct?

24 **A.** I certainly would expect that, yes.

25 **Q.** And just like Spring Creek coal, you didn't

1 perform any analysis to see if there were any
2 transportation constraints for the delivery of
3 Indonesian coal in 2007, did you?

4 **A.** I viewed Progress Energy as being in the best
5 position to determine what costs were involved and that
6 they needed to include in their decision-making process
7 and that those numbers would show up on the evaluation
8 sheet. I relied on that.

9 **Q.** Well, if that reliance was misplaced and there
10 were other steps to the process, you would agree with me
11 that that would be important to consider those other
12 steps, wouldn't you?

13 **A.** I guess that's a hypothetical set of
14 combinations, and I'm not comfortable with agreeing with
15 that. No. I mean, that's sort of open-ended, and I
16 can't agree with that being so open-ended.

17 **Q.** Okay. Well, let's, let's ask about some
18 specifics. When I asked you several questions about
19 offloading seagoing barges at the International Marine
20 Terminal such as the unloading rates, you didn't have
21 any idea about that topic, did you?

22 **A.** I had seen the bid and so knew the numbers
23 that were proposed by the bid. I did not know the
24 impacts of that on IMT or other unloading facility, how
25 that would impact that. No.

1 **Q.** Well, and let me be specific. You didn't know
2 whether at IMT gearless import vessels must be
3 discharged from the import vessel to a river barge and
4 then from the barge to the ground before they could be
5 blended. You didn't know about that, did you?

6 **A.** I did not know that and did not view that as
7 my responsibility. I view that as Progress Energy's
8 responsibility.

9 **Q.** Okay. And you didn't know that same question
10 for the United Bulk Terminal, did you?

11 **A.** Same answer.

12 **Q.** And, again, you didn't know what the
13 trans-loading contract rate for gearless Panamax vessels
14 at IMT was, did you?

15 **A.** I did not. Same answer.

16 **Q.** And you weren't aware of an incident in
17 October 2006 where a Panamax sea vessel struck the dock
18 at IMT, did you?

19 **A.** No, I'm not familiar with that.

20 **Q.** And you weren't even specifically aware of
21 what kind of vessels would be used to transport
22 Indonesian coal, were you?

23 **A.** One of them talked about being a gearless
24 vessel.

25 **Q.** But you weren't specifically aware at the time

1 of your deposition, were you?

2 **A.** I was aware that one of the bids, and I think
3 it was PT Adaro, was offering it in gearless vessels.

4 **Q.** Well, just like Spring Creek coal, let me turn
5 to this, you agreed with me that if PEF needs capital
6 additions to burn Indonesian coal that were not
7 considered by the PSC in the last case, those additions
8 should, just like Spring Creek coal, be considered in
9 the cost-effectiveness test for Indonesian coal;
10 correct?

11 **MR. McGLOTHLIN:** I'm sorry.

12 **THE WITNESS:** I'm sure you left the word "if"
13 off of your question.

14 **MR. McGLOTHLIN:** I wanted the same
15 clarification. I didn't hear that posed as an if
16 question.

17 **BY MR. BURNETT:**

18 **Q.** It's an if question.

19 **A.** As an if question, then, yes, I would have
20 agreed with that.

21 **Q.** Now you would agree with me that Indonesian
22 coal has an extremely low sulfur content; right?

23 **A.** Yes.

24 **Q.** And you also agree with me that some
25 precipitators on coal units may need sulfur injection

1 systems to deal with coals that have low coal sulfur
2 content.

3 **A.** Some precipitators might need that, yes.

4 **Q.** Well, despite the fact that we agree that
5 these coals that you're affording have low sulfur and
6 despite the fact that we agree that some ESPs or
7 precipitators may need sulfur injection systems, you
8 didn't perform any analysis to determine whether PEF
9 would need such upgrades to burn Indonesian coal, did
10 you?

11 **A.** I was aware based on the testimony in a
12 prior case that the precipitator installed on Crystal
13 River 4 and 5 was significantly oversized in order to
14 allow very low sulfur coal to be used in that plant
15 without upgrades.

16 **Q.** Well, thank you, sir. But my question to you
17 was with respect to the 2007 Indonesian coal that you
18 used in your analysis, you didn't perform any analysis
19 to determine whether Progress Energy Florida would need
20 any new incremental capital additions that were not
21 considered in the last docket to burn that coal, did
22 you?

23 **A.** I did not do an independent analysis.

24 **Q.** Thank you. You also didn't research what
25 PEF's opacity limitations are at Crystal River 4 and 5,

1 did you?

2 **A.** I did not.

3 **Q.** You also didn't research what PEF's
4 particulate matter discharge limitations are at CR4
5 and 5, did you?

6 **A.** I did not.

7 **Q.** Now I want to turn to the topic of test burns,
8 sir. You agreed with me both in the last case and in
9 your deposition in this case that before a company
10 switches to a new coal, it should do test burns,
11 evaluate operational issues, recheck economics and maybe
12 even do a second test burn; correct?

13 **A.** I would agree with that.

14 **Q.** And you also agree with me that the only way
15 to know what a unit will actually do with coal is to
16 make a real effort to test the coal to the unit's
17 maximum capability, don't you?

18 **A.** I would agree with that.

19 **Q.** And you also agree me that bench marking off
20 the experience that other utilities have with coal is an
21 important part of the testing process; correct?

22 **A.** I would agree with that.

23 **Q.** And at Pages 127 to 130 of your deposition I
24 think you give the most comprehensive description of
25 spontaneous combustion that I've ever heard. So you

1 would agree with me that you have to be careful with
2 spontaneous combustion when dealing with sub-bituminous
3 coals; correct?

4 **A.** I would agree with that.

5 **Q.** Now in your last deposition -- in your
6 deposition I showed you an article about the Scherer
7 plant and testing Indonesian coal. Do you remember
8 that?

9 **A.** I remember the article. I'm not sure -- I
10 guess I did see it at the deposition. I think I had
11 seen it before that.

12 **Q.** Okay. It looks like this. It's in your blue
13 packet.

14 **A.** Right.

15 **Q.** And you remember when I asked you the question
16 here, when this article says that I understand that
17 Georgia Power has already made a deal with Adaro to test
18 Indonesian coal at the Scherer Plant during the first
19 half of 2006, so imported coal may be in Scherer's
20 future, you told me that you didn't know one way or
21 another whether Scherer had performed such test;
22 correct?

23 **MR. McWHIRTER:** Mr. Chairman, can I request
24 that this last exhibit and the one he's holding up now
25 be given a number for identification in case we want to

1 refer to it some other time?

2 **MR. BURNETT:** I have no problem with that,
3 sir. It is in evidence as Exhibit 2 now as part of the
4 deposition. So it's already in as part of the
5 composite.

6 **MR. McWHIRTER:** Well, give us a reference, if
7 you would.

8 **MR. BURNETT:** It is in evidence as Exhibit 2
9 as a deposition exhibit.

10 **MR. McWHIRTER:** To whose deposition?

11 **MR. BURNETT:** Mr. Putman's.

12 **MR. McWHIRTER:** How about this document with
13 the price that Georgia Power paid for --

14 **MS. HELTON:** I think that's already been given
15 Exhibit Number 56.

16 **CHAIRMAN CARTER:** It is 56.

17 **MS. HELTON:** And with respect to this exhibit,
18 I think it would be helpful to still have a more
19 specific reference so that if someone does want to refer
20 to it. Exhibit 2 is a pretty exhaustive exhibit, I
21 believe.

22 **MR. BURNETT:** Yes, ma'am. We could look that
23 up. It is -- I'll have Ms. Tibbits (phonetic) look that
24 up. It's Exhibit 1 to Mr. Putman's deposition.

25 **CHAIRMAN CARTER:** Ms. Helton, you're

1 recommending that we give it a separate number for
2 identification purposes, or what are you saying?

3 **MS. HELTON:** No, sir. It was just -- I think
4 that this had a separate deposition, deposition exhibit
5 number, which Mr. Burnett just said was Number 1, and I
6 think that would be more helpful to Mr. McWhirter and
7 others who may want to refer to this in their briefs.

8 **CHAIRMAN CARTER:** Okay. Are you okay with
9 that, Mr. McWhirter?

10 **MR. McWHIRTER:** Thank you. Yes, sir. And
11 this is going to be 56 for identification?

12 **CHAIRMAN CARTER:** 56. Yes, sir. 56. That is
13 correct.

14 You may proceed.

15 **MR. BURNETT:** Thank you.

16 **BY MR. BURNETT:**

17 **Q.** So, Mr. Putman, again we established that
18 Plant Scherer was a one -- is already burning
19 100 percent sub-bituminous coal; correct?

20 **A.** That's correct.

21 **Q.** Yet prior to filing your testimony in this
22 case you didn't do anything to confirm whether or
23 not that plant that's already burning 100 percent
24 sub-bituminous coal felt it necessary to test Indonesian
25 coal for the first half of 2006 anyhow, did you?

1 **A.** I think the answer to that is yes, but I, it
2 was a little confusing, the question. But, no, I did
3 not check prior to my testimony whether or not Scherer
4 was testing Indonesian coal.

5 **Q.** Thank you.

6 **A.** I would like to make one comment about this.
7 When I saw it in my deposition, it had a date, a
8 publication date. The article was part of a whole page.

9 **Q.** Okay. It --

10 **A.** I just think it might be appropriate if this
11 was a date, if there was a date on it that it was
12 published.

13 **MR. BURNETT:** Mr. Chair.

14 **THE WITNESS:** Is that fair?

15 **MR. BURNETT:** The date -- the full article
16 appears again as Exhibit 1 in his deposition.

17 **CHAIRMAN CARTER:** Okay. Just refer to the
18 exhibit number. Let's proceed.

19 **MR. BURNETT:** Okay. Thank you, sir.

20 **MR. McGLOTHLIN:** Can we have that date for his
21 purpose if the witness --

22 **CHAIRMAN CARTER:** Just look at the deposition.
23 You don't have the deposition?

24 **MR. McGLOTHLIN:** I have the deposition.

25 **CHAIRMAN CARTER:** And exhibit number?

1 **MR. MCGLOTHLIN:** I don't think I have the
2 exhibit.

3 **CHAIRMAN CARTER:** The complete article is in
4 there; is that correct?

5 **MR. BURNETT:** Yes, sir.

6 May I proceed, sir?

7 **CHAIRMAN CARTER:** You may proceed.

8 **MR. BURNETT:** Thank you.

9 **BY MR. BURNETT:**

10 **Q.** Now, Mr. Putman, in your direct testimony you
11 come to the conclusion that if PEF needed to test burn
12 Spring Creek or Indonesian coal at all, it would only
13 take about four days to conduct a stack test; correct?

14 **A.** Correct.

15 **Q.** And just like I asked you in your deposition,
16 sir, if PEF believes you and we start burning one of
17 these coals without a test or maybe just with a four-day
18 test, you will not have to answer to the Florida Public
19 Service Commission if something goes wrong at the plant
20 like an outage or a derate, will you?

21 **A.** I would not expect to have to answer to them.
22 No.

23 **Q.** And you're not willing to post any sort of
24 bond or any kind of insurance for PEF to use to buy
25 replacement power if we believe your testimony and

1 something happens and there's a derate or an outage, are
2 you?

3 **A.** I would not expect to post bond.

4 **Q.** Well, last few questions and I think I'm done,
5 sir. You talked a lot yesterday about the operational
6 capabilities of Crystal River 4 and 5, did you not?

7 **A.** I did.

8 **Q.** With respect to both the 2006 and 2007 coals
9 that you assert we should have bought in this case, you
10 have not performed an analysis as to how either one of
11 those coals would affect pulverizer capacity, have you?

12 **A.** I would say I did an analysis. I read the
13 material about the design of the boilers again and
14 satisfied myself that -- I mean, I knew what the design
15 was. If that's an analysis, I did an analysis.

16 **Q.** Well, sir, let's go back to Page 33 of your
17 deposition, Line 16, when I asked you with respect to
18 the 2006 and 2007 coals, "Have you performed any
19 analysis with regard to how either of these coals would
20 affect pulverizer capacity at CR4 and 5?" Your answer,
21 "I have not." Did I read that correctly?

22 **A.** Yes.

23 **Q.** And you similarly have not studied how their
24 moisture levels may impact operational performance, have
25 you?

1 **A.** Again, it's a question of timing. You -- I
2 have done that. I may not have done it at the time I
3 did the deposition. The way you phrased the question
4 just then, yes, I have done an analysis. I may not have
5 done it at the time that the deposition occurred.

6 **Q.** So, sir, is it your testimony that with
7 respect to these 2006 and 2007 poles, after you filed
8 testimony and after your deposition you have now done an
9 analysis on pulverizer capacities and moisture impacts?

10 **A.** That's correct.

11 **Q.** And where could I see that, sir?

12 **A.** The analysis -- again, I preface this, it all
13 defends on how you define analysis. I read the
14 material, I formed a judgment in my brain, and that's
15 where it is. That is my analysis.

16 **Q.** And I'm going to go out on a limb and suggest
17 that you can't print copy of your brain that I could
18 review and cross you on?

19 **A.** I wouldn't want you to see what's in my brain.

20 **Q.** Fair enough. Well, let me try to go through
21 these quickly, and perhaps you could just tell me yes or
22 no if you have performed an independent analysis on
23 these. Have you performed an analysis for self-heating
24 temperatures of these two coals as they may impact the
25 plants?

1 **A.** At this point, yes, I have done an analysis.
2 It is admittedly a very minor analysis, but I have done
3 an analysis.

4 **Q.** The same question on boiler efficiency.

5 **A.** The same answer.

6 **Q.** The same question on heat rates.

7 **A.** The same answer.

8 **Q.** The same question on ash levels.

9 **A.** The same answer.

10 **Q.** The same question on base-to-acid ratios.

11 **A.** Same answer.

12 **Q.** Same question to sodium levels.

13 **A.** Same answer.

14 **Q.** Same question to calcium levels.

15 **A.** Same answer.

16 **Q.** Same question to sulfur levels.

17 **A.** Same answer.

18 **Q.** Same question to electrostatic precipitator
19 impacts.

20 **A.** Same answer.

21 **MR. BURNETT:** I have nothing further, sir.

22 **CHAIRMAN CARTER:** Thank you. Commissioner
23 Argenziano.

24 **COMMISSIONER ARGENZIANO:** I will just wait
25 until after the questions.

1 **CHAIRMAN CARTER:** Okay. Commissioner Skop.

2 We did staff yesterday, so we are going to
3 come to the bench and then we will go back to redirect.

4 Commissioner Skop.

5 **COMMISSIONER SKOP:** Thank you, Mr. Chairman.

6 Just one quick question to Mr. Putman following up on a
7 cross-examination question by Mr. Burnett. I guess he
8 had given a scenario of reality versus a hypothetical,
9 and I think that you spoke to that. I'm trying to
10 better understand and appreciate your testimony to the
11 extent that I know that the Commission has already
12 previously established the fact that CR4 and 5 units
13 were built with the inherent capability to burn a blend
14 of PRB coal, that the capability was lost through
15 failure to maintain the permits, and that the Commission
16 basically has required that the 80/20 blend be used when
17 it's cost-effective to do so.

18 If I understand your testimony correctly, and
19 please correct me if I'm wrong, the hypothetical example
20 that you are asking the Commission to adopt assumes that
21 no matter what, no matter what other circumstances are
22 involved, that Progress should currently be burning that
23 80/20 blend of CAPP coal and PRB. Now, in contrast,
24 Progress via interrogatory response to 29A has asserted
25 that they have come up with another alternative which

1 burns a blend of bituminous coal which they allege is
2 more cost-effective than the hypothetical you have
3 posited for the Commission to consider. So if I have
4 that wrong, please correct me. But, if I don't, then I
5 have a follow-up question for you.

6 **THE WITNESS:** I guess it's all what you mean
7 by hypothetical. I evaluated the decision that was made
8 at the time of the 2004 decision-making when they bought
9 coal and should have, according to the Commission's
10 evaluation of the last case, they should have at that
11 point had a unit that had a permit, had a unit that had
12 tested Power River Basin coal, had a unit that had all
13 the modifications necessarily made, and they should have
14 been in a position in April of 2004 to buy the lowest
15 cost coal offered to them. They did not have that
16 capability. They were continuing to be imprudent in the
17 terms that you all have used, and, therefore, they
18 couldn't buy that coal.

19 So assuming that that imprudency would
20 continue to exist, then the question is what is the
21 refund that's fair. But things that happened after that
22 that they took action to do which they could do under
23 their existing permits at the time, which includes
24 buying other bituminous coal, blending those other
25 bituminous coals, maybe you can argue that was

1 mitigating the risk in some way. But the decision made
2 in May/April of 2004 was, in my view, not a prudent
3 decision.

4 **COMMISSIONER SKOP:** Okay. And fair enough. I
5 mean, I think that listening to what you just said there
6 is three basic building blocks. There was the past
7 case, which the Commission has already adjudicated;
8 there's the current case, which basically your testimony
9 centers on what they should have done in 2004; and then
10 there is the forward-going basis on do they have that
11 inherent capability.

12 Now, the question I have for you is if we
13 follow your analysis in 2004, and they should have,
14 according to you, purchased the PRB coal, then I guess
15 for 2007 they should have purchased the Indonesian coal,
16 but what they ended up doing, to Mr. Burnett's point, in
17 reality was something completely different that resulted
18 in a more cost-effective alternative. So if you have
19 two different paths -- I understand the imprudency, and,
20 again, I think I made it really clear in my last
21 concurring opinion that I expect them to have and
22 restore that inherent capability to burn 80/20 when it
23 is cost-effective to do so.

24 But the point I'm faced with today is do I
25 stick rigidly to your hypothetical and ignore a more

1 cost-effective innovative alternative, or do I try to
2 evaluate your alternative versus what Progress has
3 offered that they have done in the instant case at a
4 more cost-effective basis.

5 And so I guess the question I would have to
6 you is assuming your testimony versus what Progress
7 alleged they actually did, if we accept your position,
8 then what are the damages to the extent that Progress
9 has already done it cheaper than the testimony you've
10 offered?

11 **THE WITNESS:** My response to that is, again,
12 it is not an either/or situation. They could have
13 bought low quality Btu bituminous coal and blended it.
14 They could have also, if they had the right permits,
15 bought sub-bituminous coal and blended it. They could
16 have captured both savings. That's point number one.

17 The second point is the comparison that is
18 made by Progress Energy in this case so far is a
19 comparison of the fact that their blend of bituminous
20 and bituminous was cheaper than a blend of
21 sub-bituminous and bituminous when the process that was
22 described in the last case is to compare back to the
23 most expensive coal that was actually bought and
24 received. So it was not a comparison between two
25 options, new options. It was to compare an option back

1 to what was already bought and received moving through
2 the transfers (phonetic). That is the way the analysis
3 was described and set up. So comparing the two options
4 to each other is instructive, but it is not the way the
5 Commission said we should analyze their decisions.

6 **COMMISSIONER SKOP:** Okay. Fair enough. I
7 just have, I believe, one final question. You spoke to
8 that they should have captured both savings. But if one
9 option that they actually did in reality versus what
10 they should have done, according to you, if one option
11 were cheaper than the other, then why wouldn't you just
12 go with the -- you know, not that it's right, but why
13 wouldn't you just accept the option that provided the
14 most overall cost savings for the consumer?

15 **THE WITNESS:** Because if you can make two
16 savings, you ought to make two savings.

17 **COMMISSIONER SKOP:** But if burning a blend of
18 bituminous coal at the end of the day is cheaper than
19 burning a blend of 80/20, the 80/20 would yield no
20 savings. So I'm trying to understand how you would get
21 both savings there.

22 **THE WITNESS:** I'm going back to what was
23 bought and delivered. They could have replaced some of
24 that bought and delivered with their blend, I mean,
25 bituminous/bituminous, and they could have replaced some

1 of that bought and delivered with sub-bituminous and
2 bituminous. The ratepayers would have gained -- based
3 on their numbers and your position, they would have made
4 more on the bituminous and bituminous, but they would
5 have also made savings on the sub-bituminous and
6 bituminous. And in defending the ratepayers, they
7 should have captured both of them.

8 **COMMISSIONER SKOP:** Okay. I guess maybe I'm
9 missing something there, because I understand exactly
10 what you're saying, I was very supportive in the last
11 case, but I'm not seeing those savings. So I guess the
12 question that would arise -- because I'm looking at the
13 data that has been presented by OPC, and I'm also
14 looking at the data on 29A. And I guess what that is
15 telling me is that although they may have been imprudent
16 in 2004, they actually covered at a lower overall cost
17 to the consumer.

18 So I guess what I'm struggling with, if that
19 is the case, then could the Commission find that maybe
20 their actions dating back as far as 2004 were, indeed,
21 imprudent, but award zero damages because they covered
22 with a savings to consumers?

23 **THE WITNESS:** I think that is a decision for
24 the Commission to make. It sounds an awful lot like
25 hindsight review. It says a decision was made which you

1 all have defined as an imprudent decision in 2004
2 because they didn't have the permit. And then you are
3 going to now say, okay, we are going to second-guess
4 that decision on things that happened after that. That,
5 as ex-utility person, makes me nervous as hindsight
6 review.

7 **COMMISSIONER SKOP:** Well, speaking to that, I
8 mean, I guess I was accused of that last time, because I
9 basically, you know, suggested in my concurring opinion
10 that they had lost the capability that was inherent to
11 the units themselves and that that had been recovered --
12 as you have stated in both of your testimonies in both
13 dockets that that inherent capability has been paid for
14 by the ratepayers. So I was all for you need to restore
15 the inherent capability. But what I'm hearing now from
16 Progress is that there is a cheaper alternative to an
17 80/20 blend, and that cheaper alternative is at the
18 current time and the time at question is burning a blend
19 of bituminous coal from domestic and international. And
20 if that's the case, then why wouldn't we go with the
21 cheaper alternative?

22 **THE WITNESS:** Then that brings back the fact
23 that I don't really support their answer to 29A,
24 whatever it is. They come up with a cost of the blend
25 of sub-bituminous and bituminous which is totally out of

1 line with the number they show on their evaluation
2 sheet.

3 **COMMISSIONER SKOP:** Okay, fine. But let me
4 ask you that, and I'm not concerned about their
5 evaluation sheet. I'm looking at the numbers in 29A in
6 comparison to the evidence offered by OPC in DJP-6,
7 which shows the evaluated cost of the coal, and also,
8 too, in large quantity, I think there has been a data
9 point that suggests that the delivered cost was about
10 \$2.28 in dollars per MMBtu, or 2.26, subject to check,
11 and that price in all but, perhaps, one instance is
12 higher than the data that Progress showed in 29A.

13 **THE WITNESS:** Right. I apologize, I don't
14 have the 29A in front of me.

15 **COMMISSIONER SKOP:** Okay. I'm happy to -- you
16 can have my copy.

17 **THE WITNESS:** I'm sorry, could you --

18 **COMMISSIONER SKOP:** Yes, sir. If you were to
19 look at the column just to the right of coal supplier,
20 it says dollar per MMBtu delivered to the terminal, and
21 it shows, I guess, allegedly what Progress has done in
22 lieu of the 80/20 that you have suggested. And I guess
23 in 29A, in the response to that staff interrogatory,
24 they are alleging that, one, it's cheaper than the
25 equivalent price of PRB delivered to the terminal, but

1 then I'm also relating those prices back to some of the
2 data that has been provided in the record evidence that
3 suggests that in all but one instance these prices are
4 at or below what PRB could be procured for.

5 So I guess I'm struggling to be fair, and I
6 fully support your position to the extent that, you
7 know, the Commission has previously established you burn
8 80/20 when it is cost-effective to do so. But beyond
9 that, if there is a more cost-effective option that
10 Progress maybe has stumbled into, and it results in more
11 savings to the consumers than burning the 80/20 blend,
12 then how could that be deemed -- I mean, I guess how
13 could damages arise from that? I mean, I could see that
14 you might go back to 2004 and say their actions were
15 imprudent, but there were no resulting damages as a
16 result of the imprudency.

17 **THE WITNESS:** Progress Energy testified to
18 this sheet. But when I compare the bids that were
19 available in 2004, May 2004 as evaluated, and this is on
20 TJP Exhibit 6, Page 1 of 1, which covers the bids
21 received for the May 2004 solicitation.

22 **COMMISSIONER SKOP:** I'm with you.

23 **THE WITNESS:** On the far right they show a
24 utilized cost delivered to the plant, which means that
25 it not only includes transportation all the way to the

1 plant and not just to the terminal, but it also includes
2 the cost of utilization. Those numbers that are
3 available are in the \$2 range.

4 We offered the two Kennecott bids, which are
5 under \$2, and to me that is the comparison that ought to
6 be made, not to these other numbers which I don't
7 support and I don't really know where they came from of
8 \$2.34, \$2.33, those kind of numbers.

9 So I think it comes to a question of which set
10 of numbers do you believe. Progress Energy produced
11 both of those numbers. They produced the ones on my
12 exhibit and they produced these other numbers. One of
13 them clearly comes from the 2004 time period. I'm not
14 sure where the time period is. We have all heard
15 testimony about a market surge of Powder River Basin
16 coal. So coal bought after May 2004 probably would have
17 been more expensive, which I think leads to the question
18 of whether or not they made a mistake in 2004 in not
19 buying the coal. So, I mean, I hear what you're saying
20 and I understand what you are going to. I think the
21 question is was it really cheaper to buy the bituminous
22 and bituminous blend compared to what they could have
23 done in 2004 to create a low-cost sub-bituminous and
24 bituminous blend.

25 **COMMISSIONER SKOP:** Okay. Just one more on

1 that final point, one more question. Assuming for the
2 sake of discussion that for the statement you just made
3 that it was cheaper for the bituminous/bituminous blend.
4 Would that not be prudent to have, I guess -- let me
5 rephrase my question. Assume it was cheaper for the
6 bituminous/bituminous blend, and that resulted in the
7 least-cost option. Would there be any damages even if
8 they were imprudent as far back as 2004?

9 **MR. McGLOTHLIN:** Excuse me, Commissioner, I
10 think you may have misspoken.

11 **COMMISSIONER SKOP:** Okay.

12 **MR. McGLOTHLIN:** I think you meant to say
13 sub-bituminous/bituminous blend for the purposes of the
14 question, if I'm following you.

15 **COMMISSIONER SKOP:** No, I think the reality --
16 this all goes back to the reality versus the
17 hypothetical. Mr. Putman is looking at 2004 and
18 basically drawing conclusions as to what, in his
19 professional opinion, Progress should have done with the
20 facts known to them at that time.

21 Now, what I think Progress has alleged -- and,
22 Mr. Burnett, please correct me if I'm wrong -- is that
23 they may not have done that, but they did something else
24 in the alternative. And the alternative was a
25 bituminous/bituminous blend of domestic and

1 international coal, which resulted in a lower cost to
2 the consumers than the 2004 not exercising or going down
3 that path would have offered.

4 So if that, indeed, is the case, then I guess
5 my question to Mr. Putman would be, yes, they may have
6 been imprudent dating back to 2004, but if their
7 subsequent actions cured that imprudency and resulted in
8 savings to the consumers, then the crux to me is what
9 are the damages and would they be, in fact, zero?

10 **CHAIRMAN CARTER:** Just a moment.

11 Commissioner Argenziano.

12 **COMMISSIONER ARGENZIANO:** Actually a question
13 to Commissioner Skop. I think -- and this is just what
14 I'm hearing. I'm taking a position at this time. But
15 what I think I'm hearing Mr. Putnam say is that his
16 opinion, with the facts that he used, and facts are not
17 hypothetical, there is a hypothetical component in
18 there, but the facts that he used at the time in 2004, I
19 think what he is saying, and correct me if I'm not
20 hearing this right, because I'm trying to figure out the
21 argument or the debate, is that he doesn't feel -- and
22 please correct me, Mr. Putnam. I don't want to put
23 words in your mouth. I'm trying to get this. You are
24 saying that the numbers that Progress used, you don't
25 know where they came from, or they are not the numbers

1 that you used from their evaluation sheet or the facts
2 that were presented in the bids in 2004.

3 **THE WITNESS:** That's correct.

4 **COMMISSIONER ARGENZIANO:** So then how do we
5 know? You, Commissioner Skop, need to tell me, because
6 you are saying that Progress and Progress is saying that
7 they realized the savings subsequently. I'm not sure I
8 see that savings. I don't know where those numbers came
9 from, and I'm trying to figure out where the savings
10 came in.

11 **MR. BURNETT:** Mr. Chair.

12 **COMMISSIONER SKOP:** I will yield to Mr.
13 Burnett.

14 **CHAIRMAN CARTER:** Let's hear from Mr. Burnett.
15 You want to hear from Commissioner Skop and then --

16 **COMMISSIONER ARGENZIANO:** I am sitting here
17 trying to figure out where the savings -- was it cheaper
18 or was it not. That to me is the big question.

19 **CHAIRMAN CARTER:** Commissioner Skop.

20 **COMMISSIONER SKOP:** Thank you, Mr. Chairman.
21 And I think your point is well taken. I want to refrain
22 from debating the merits of this, but with respect to
23 the testimony, the last docket to me was clear cut and
24 the Commission did what it did and I took my own
25 separate opinion. This one, again, I think it boils

1 down to whose numbers do you believe. And if Mr. Putman
2 is, in fact, correct as you suggested and Progress
3 should have done something in 2004, then, fine. But if
4 they have done something effectively in reality
5 different and that resulted in savings over and beyond
6 what Mr. Putman has alleged they should have done, then
7 perhaps you could find imprudency, but I don't see any
8 damages, and that's what I'm trying to struggle with.

9 **COMMISSIONER ARGENZIANO:** Mr. Chair.

10 **CHAIRMAN CARTER:** You're recognized.

11 **COMMISSIONER ARGENZIANO:** My question to Mr.
12 Putman is do you see the savings? I'm trying to find if
13 there is a savings. Can you tell me do you see
14 subsequent savings as Commissioner Skop has indicated,
15 and could you pinpoint those. And then I will ask
16 Progress the same question, because I really want to
17 know.

18 Did it ultimately lead -- whatever their
19 actions were ultimately subsequently, did it lead to
20 cheaper than what they could have got if they went --
21 and I know that is hindsight again. How do you know
22 that was going to come about, I guess.

23 **THE WITNESS:** If I can let me try and give my
24 opinion. I am sort of getting into things that are
25 truly Commission decisions, but you have asked my

1 opinion.

2 First of all, I don't see savings compared to
3 a decision made in 2004. As time went on and they were
4 buying coal for a bituminous-to-bituminous blend and
5 comparing that to what they could have been doing at
6 that same time period, which was later than 2004, that
7 was cheaper than they could have gone out in 2005 and
8 2006 and bought a sub-bituminous/bituminous blend. That
9 is a possibility, because the sub-bituminous went up.
10 So, over time, if you were comparing them at the same
11 point in time, it is possible that there could have been
12 savings of using a bituminous/bituminous versus a
13 sub-bituminous/bituminous bought at that future point.

14 But, the other point is, and I think this is
15 where I'm probably out of my line, but it appears to me
16 that what is being introduced is the whole concept of
17 mitigation of imprudency, which I'm not sure I have ever
18 read anything about in my time in the utilities where an
19 imprudent act occurred at one point and then it was
20 mitigated. That's a slippery slope, because then it
21 introduces the question of should you have mitigated and
22 failed to mitigate and, therefore, we are going to
23 punish you. It's all hindsight review, and as an
24 ex-utility person, that makes me very nervous.

25 **COMMISSIONER ARGENZIANO:** Thank you.

1 And, Mr. Chair, that was my point to
2 Commissioner Skop. If you are talking about it being
3 hindsight, I'm not sure -- it could have had a different
4 scenario, so I'm not sure that is the best practice to
5 take. We are going to doing this today because two
6 years from now something else could happen. It could
7 have turned out the other way. So I'm not sure I
8 understand your point.

9 **COMMISSIONER SKOP:** Well, I think, and with
10 all due respect, and I think your point is well taken,
11 too, and I think Mr. Putman has raised an issue that,
12 again, he's judging what Progress should have done based
13 on 2004.

14 Now, what Progress did in reality may have
15 resulted in a lower cost, but I believe in Mr. Putman's
16 mind that does not negate the imprudency dating back to
17 2004, and I think that's the point you're trying to
18 make. My question is -- at least from my perspective is
19 they probably should have done what Mr. Putnam has
20 suggested, okay, if that was, indeed, the prudent thing
21 to do. But by them failing to do that -- and maybe they
22 stumbled into doing something different. If that
23 resulted in a lower overall cost, then the thing I'm
24 struggling with is going back to Mr. Putman assuming
25 that the Commission, based on Mr. Putman's testimony,

1 were to render a decision ultimately based on the record
2 evidence of imprudency, then how do you award damages on
3 something where the end result of the reality was a
4 lower overall cost savings. I think that is what I'm
5 struggling with.

6 **CHAIRMAN CARTER:** Commissioner.

7 **COMMISSIONER ARGENZIANO:** I think I
8 understand, it is just that it really is a dangerous
9 slope.

10 **COMMISSIONER SKOP:** I wholeheartedly agree,
11 but then the thing is is it would be pretty easy to go
12 in and say you should have done this, and that is based
13 upon how the Commission deems on the record evidence and
14 reflection in that. What's hard to do is set damages
15 from that. If reality turned into a lower cost versus
16 the hypothetical of looking back at what they should
17 have done, but the overall cost savings was more in the
18 haphazard way. I agree it is a slippery slope, but also
19 one of the principles is if the Commission ultimately
20 finds imprudency, the Commission has to award damages.
21 And if actual damages are negative, then how do you
22 award damages?

23 **COMMISSIONER ARGENZIANO:** Well, let me ask you
24 this. Let's say because the bids were in front of them
25 there may have been cheaper opportunities at that time.

1 I don't know if it was available. I am still trying to
2 put all the pieces together and I still have a lot more
3 information before I make a decision. But in your
4 scenario let's say we said, okay, you acted imprudently
5 maybe in '04, but you fixed it later on. What if that
6 were to happen again and it didn't get fixed later on,
7 and the next time it happened it cost more because of
8 that decision. Then can you turn around and say, well,
9 you the Public Service Commission said we fixed it and
10 that was okay, so we tried it again. I'm not saying the
11 company is going to do that, I'm just saying is that a
12 good precedent to make.

13 **COMMISSIONER SKOP:** I agree, you know, it's a
14 legal concept. It is hard for me, again, if you were to
15 look back to 2004 and say, you know what, you should
16 have done this. But, you know, then you have to look at
17 is it fair to -- you know, if the end result was at a
18 less cost, so damages would be negative, then the
19 question is what should you have done versus what, you
20 know, what the actual harm was.

21 But I agree with you, and that is one of the
22 things that troubles me. And another thing that
23 troubles me is some of these issues, these very issues
24 were never raised in the last docket. But a lot of
25 discussion now is focused on, you know, specific mines

1 in Indonesia and all of that, so that is different from
2 the last docket.

3 But what troubles me, again, is I still firmly
4 believe, as in the prior Commission order, when it is
5 cost-effective to do so that an 80/20 blend should be
6 burned, and I think that is Mr. Putman's contention
7 based on what I have heard his testimony to be.

8 **COMMISSIONER ARGENZIANO:** Well, if you
9 remember -- Mr. Chair, if I may.

10 **CHAIRMAN CARTER:** You're recognized.

11 **COMMISSIONER ARGENZIANO:** I asked staff, I
12 believe it was yesterday, about what our charge really
13 is. And what it comes down to me is that -- and I don't
14 know, I guess maybe you are having the question of
15 should Progress have bought a particular type of coal or
16 any coal as long as it was the cheapest. And, of
17 course, we need to take into consideration the costs in
18 doing that. Would there be retrofitting and all that
19 kind of stuff. And I'm not sure how difficult that is
20 since I see other companies doing that. And what I
21 heard that this plant was built to take on different
22 coals. So is our main goal it seems to me in
23 determining prudence, what are we determining prudence
24 for? Is it the prudence that you didn't use a
25 particular -- the Powder River Basin, or was it that it

1 was supposed to be the cheapest. And that is what you
2 with due diligence as a company are supposed to do.
3 That is what I'm focused on. And what I hear you saying
4 is that you may not be focused on the cheapest, but
5 where it is from.

6 **COMMISSIONER SKOP:** No, I think we are saying
7 a combination of the same things. I think in the prior
8 docket the Commission clearly established the fact, as
9 you correctly stated, these plants were built with the
10 inherent capability to burn an 80/20 blend. You know,
11 that capability was not maintained through the lapse of
12 the permits. And that the Commission in its prior order
13 found that when it was cost-effective to do so, that
14 they should burn an 80/20 blend. When it is not
15 cost-effective to do so, they could probably get away
16 with doing the 100 percent CAPP coal.

17 But what I'm struggling with, again, in 2004
18 they probably should have done some of the things
19 that -- you know, again, I don't want to get into the
20 merits, but what I am hearing in the record evidence
21 also is in 29A, you know, basically Progress has alleged
22 that they did something different, completely different
23 that resulted in an ultimate cost savings. And so the
24 question is, I guess, that I had to Mr. Putman is if, in
25 fact, they were, you know, perhaps imprudent in 2004,

1 what would actual damages be if they did something that
2 resulted in an overall cost savings to the consumers,
3 even if they happened into that by mistake or what have
4 you. But ultimately, you know, you could be imprudent
5 but still have no damages, and I guess that is what I'm
6 trying to get Mr. Putman to evaluate based on, you know,
7 looking at 2004 versus what they actually did, which was
8 Mr. Burnett's question on burning a blend of
9 bituminous -- of domestic bituminous with international
10 bituminous, and which in 29A they have alleged was
11 cheaper than the PRB option.

12 **THE WITNESS:** Again, my position is the
13 concern about looking back and doing hindsight review.
14 Maybe an example will help that is not quite as
15 controversial. Off that 2004 bid, Progress Energy did
16 decide to buy some bituminous coal, and they bought that
17 based on the lowest cost bituminous coal offer. But
18 they made a decision that in spite of the fact that they
19 were offered coal for 2005, 2006, and 2007, they only
20 bought coal for 2005 and 2006 because it was their view,
21 based on the letter in the document, that they would
22 rather hold 2007 open for future opportunities.

23 Well, as it turns out 2007 for bituminous as
24 well as sub-bituminous was higher than it was in 2004.
25 So by deciding not to buy in 2007, it cost more money

1 than that earlier decision. But I would never sit here
2 and say you should punish Progress Energy for not buying
3 in 2007, because in 2004 they thought that was a wise
4 decision. But if you say we're going to look back and
5 see what really happened, then you would look back and
6 say you didn't buy that coal in 2007. It was cheaper;
7 you made a mistake, we are going to punish you. That is
8 the kind of slippery slope I would not want to, in any
9 way, have utilities subjected to.

10 **COMMISSIONER SKOP:** And I wholeheartedly
11 agree. I mean, I'm trying to find out, you know,
12 listening to the testimony, you know, which testimony is
13 more credible, what numbers I should believe, and try to
14 establish if there was, you know, in my mind,
15 imprudency, then what are the appropriate damages to
16 remedy that.

17 But this one, like I say, there are many
18 different sets of numbers being tossed around here, and
19 I'm trying to correspond, you know, some of the
20 witnesses have alleged they should have done certain
21 things, and I'm looking at that with what was actually
22 done and trying to basically integrate those two and
23 understand what was the ultimate outcome. And if the
24 ultimate outcome was, in fact, a cheaper option, it
25 doesn't make it any less right, but at the end of the

1 day by maybe mere coincidence it turned out cheaper.
2 So, again, I'm trying to understand and give, you know,
3 the appropriate weight to each of the respective
4 testimonies. I do appreciate your lengthy explanation
5 on this. Thank you.

6 **MR. BURNETT:** Mr. Chair.

7 **CHAIRMAN CARTER:** Mr. Burnett.

8 **MR. BURNETT:** Yes, sir. We had a homework
9 assignment from Commissioner Skop yesterday. I heard
10 him say that he wanted to get the numbers in 29A SO2
11 normalized, transportation normalized, as well as these
12 numbers off GJP-6 normalized for transportation. If it
13 helps, we have prepared that and can present it now.

14 **COMMISSIONER SKOP:** I'd be happy to see that,
15 Mr. Chair.

16 **MR. BURNETT:** We could also present it with
17 Mr. Weintraub. It's to your discretion. I just wanted
18 to let you know that we have done that.

19 **COMMISSIONER SKOP:** That would be better.

20 **CHAIRMAN CARTER:** I had rather do it that way.
21 That's not Mr. Putman's deal.

22 **MR. BURNETT:** Yes, sir. Thank you.

23 **CHAIRMAN CARTER:** Mr. McGlothlin, you're
24 recognized for redirect.

25 **MR. MCGLOTHLIN:** Thank you.

REDIRECT EXAMINATION

1
2 **BY MR. McGLOTHLIN:**

3 **Q.** And I want to begin with some questions that
4 address this conversation on 29A. I think it's
5 important to do. Mr. Putman, you have with you, do you
6 not, a copy of what was originally called your DJP-6,
7 which is the summary of the bids to the April 2004 RFP?

8 **A.** I have that.

9 **Q.** And is that the basis for the prices that you
10 think the company could have bought sub-bituminous coal
11 for delivery in 2006?

12 **A.** That's correct, those are the numbers I used.

13 **Q.** Now, I want to refer you to the answer in 29A.
14 Do you see the sentence that says, "The PRB coal, if it
15 was purchased in May 2006, was more expensive than the
16 base coal that it was blended with"?

17 **A.** I haven't found it yet.

18 **Q.** The fourth line in the answer.

19 **A.** Okay. Yes, I found it.

20 **Q.** Does the coal that was purchased in May 2006
21 have any relationship to the bids of the April 2004 RFP?

22 **A.** No. Well, ask that again.

23 **Q.** What was the PRB coal that was purchased in
24 May 2006, do you know?

25 **A.** The PRB coal that we recommended selected was

1 Kennecott coal that would have been bought and delivered
2 in 2006.

3 **Q.** Yes. And is that the same as or different
4 from the coal that was purchased in May 2006 and blended
5 with base coal and actual purchase?

6 **A.** No, the bituminous coal they are talking about
7 is different than the coal shown on my Exhibit 1, Number
8 6.

9 **Q.** So the question is can you glean whether in
10 preparing 29A did Progress Energy base its price of the
11 delivered cost of sub-bituminous coal on your Exhibit
12 6 or on something else?

13 **A.** It must have been something else. In this
14 sentence that you quoted the PRB coal that was purchased
15 in May 2006, and there was no coal, sub-bituminous coal
16 purchased -- actually purchased in May 2006.

17 **Q.** Would you recall a test burn that occurred in
18 that time frame?

19 **A.** Oh, okay. Okay. Thank you. Yes, now --
20 okay. That was purchased in 2006, the Peabody coal for
21 test burn.

22 **Q.** So in terms of comparison of the price you
23 contend was available and should have been taken
24 advantage of from the '04 RFP as shown on 6, and the way
25 Progress Energy constructed 29A, can you tell us whether

1 the company based its price on delivered PRB coal on the
2 April 2004 RFP or on something else?

3 **A.** It was not based on the 2004 RFP.

4 **Q.** Okay. Now, there is a column there that says
5 PRB transportation cost to terminal, and they range from
6 30 to \$32 and change or dollars per ton, are those equal
7 too, less than, or more than the transportation
8 component of the April 2004 RFP as you understand it?

9 **A.** These numbers are more than the numbers used
10 in producing DJP-6.

11 **Q.** Okay. Now, with respect to the numbers shown
12 on the summary of bids to the April '04 RFP, the
13 evaluated utilized price, at what point in the process
14 of evaluating bids, identifying winners, and negotiating
15 contracts, does the utility secure transportation for
16 those tons?

17 **A.** The normal process in preparing to react to an
18 RFP would be to look at these bids, and look at the
19 winners of that, the lowest cost, and say, okay, these
20 are the ones I'm going to negotiate with. Now, I need
21 to go firm up my assumptions. They have got a bid in
22 hand from the coal supplier. The other piece that is
23 very important is they have got to firm up the
24 transportation part of it. They have put a number in
25 here that they say is what the transportation is going

1 to be. They need to either have already an existing
2 contract that they can move that coal under, or they
3 need to go get a firm bid from a transportation supplier
4 that would match up, support their evaluation.

5 And to go off and negotiate with a coal
6 supplier when you don't have the transportation
7 component locked up would be dangerous. So the normal
8 process is before you start your negotiations, very
9 quickly, even a lot of times contemporaneously with
10 asking for coal bids, you ask for transportation bids,
11 you put them together, and then you know what it is you
12 are getting ready to negotiate. You would not want to
13 negotiate with a coal supplier, make a deal, and then go
14 out and tie up your transportation costs.

15 Q. You attached to your testimony, in addition to
16 this summary of all bids, a letter reporting to
17 management the purchases that resulted from the RFP of
18 2004, did you not?

19 A. That's correct.

20 Q. Do you have that available to you?

21 A. I do. It is Exhibit Number DJP-5.

22 Q. Now, I draw your attention to the discussion
23 of the purchases that were made as a result of the RFP
24 process and also the report of the cost in dollars per
25 MMBtu at which those purchases will be delivered to the

1 plant site. Do you see that purchase?

2 A. Yes.

3 Q. When one correlates that report of what the
4 delivered purchased price was going to be to the
5 evaluated utilized costs shown on the DJP-6, can you
6 ascertain whether the contract price reported including
7 transportation is equal to, less than, or more than the
8 evaluated cost?

9 A. The example would be on Page 2 of 4 of that
10 letter. Under domestic water it says that they have
11 purchased Delta coal, which is coal for Crystal River 4
12 and 5 from -- the first one is from Central Coal
13 Company, and that coal would ship and deliver into
14 Crystal River at \$2.672 per million Btu. If you go back
15 to the bid sheets and find that Central Coal is down in
16 the Central Appalachian coals in the middle, the second
17 one down, you will see if you go across for the cash
18 cost, not the evaluated cost, but the cash cost is
19 2.672, which lines up with the letter.

20 So what they are reporting is that they were
21 able to buy that Central Coal at the price that they had
22 in their evaluation sheet. So obviously they bought the
23 coal at the price it was bid and they locked up the
24 transportation at the cost that was put into that
25 evaluation sheet.

1 If you look on the following page under
2 domestic rail under Delta Coal, again, coal bought for
3 Crystal River 4 and 5, it talks about coal bought from
4 Massey Energy and at a delivered cost into Crystal River
5 at 2.693. If you go back to the bid sheet you will see
6 Massey Coal -- in this case it's the second Massey down,
7 the Van Mill mine (phonetic). If you go across again,
8 the cash cost is 2.693.

9 Now, I will point out the difference between
10 the cash cost and the evaluated cost. The evaluated
11 cost includes the impact of operation in the boiler. It
12 is used for decision-making. But once you decide on
13 what you are actually going to pay to the coal supplier
14 and transportation supplier is the cash cost. So that
15 is what you pay. You make your decision based on the
16 evaluated cost.

17 **Q.** Based upon the examples that you gave, do I
18 understand correctly that with respect to the purchases
19 actually made as a result of the RFP process in 2004,
20 the evaluated price including transportation was
21 translated into a contract with the same price including
22 transportation?

23 **A.** That's correct.

24 **Q.** In your experience, and you have had
25 experience in terms of conducting RFPs and contracting

1 with bidders, have you not?

2 **A.** That's correct. For a period of time I
3 managed Southern Company's procurement, and we were the
4 largest coal buyer in the United States, and one of the
5 top two or three in the world.

6 **Q.** Would a situation in which an RFP process that
7 led to an evaluated cost subsequently translated into a
8 contract at the same or very nearly the same cost be
9 typical or atypical?

10 **A.** It should be typical. That's the way it ought
11 to work.

12 **Q.** Would you expect that to be true not only of
13 the bituminous purchases that were made, but of the
14 sub-bituminous offers that were there for the taking?

15 **A.** I would expect the process to work the same.
16 If you are going to buy something and negotiate
17 something, you better tie up the transportation costs at
18 the same time you are tying up the coal costs, yes.

19 **Q.** Now, you have heard references to and
20 descriptions of the transportation component of this
21 evaluated cost referred to as forecasts and you have
22 heard people say that your assumptions on the
23 transportation costs were in error because they
24 subsequently increased. Do you accept and agree with
25 the proposition that the delivered costs shown in the

1 summary bids was subject to significant increases in the
2 transportation component?

3 **A.** It should not be. Before it got on this
4 sheet, somebody at Progress Energy should have
5 determined what was available for transportation and had
6 a good handle on that.

7 **Q.** So if that process had unfolded as you say it
8 should have unfolded, if it had taken place in exactly
9 the same way they were converting the offers for
10 bituminous coal into contracts at exactly the same price
11 including transportation. In your opinion, what should
12 the delivered cost of PRB on -- what should it be on 29A
13 for comparison with the other transactions?

14 **A.** The PRB delivered cost to terminals number?

15 **Q.** Well, let me ask you this. At what point of
16 delivery do the evaluated costs shown on your
17 Exhibit 6 assume?

18 **A.** All the way to the Crystal River plant.

19 **Q.** So even if we used the evaluated costs shown
20 for delivery to the plant, which includes more legs of
21 the transportation than does 29A, can you tell us
22 whether the prices bid into the 2004 RFP for
23 sub-bituminous coal were equal to, more than, or less
24 than the prices shown for the spot purchases and the
25 blend purchases here?

1 **A.** The prices that were available in 2004 under
2 this inquiry are lower than the prices shown on the 29A
3 answer, that they were -- 29A indicates a higher
4 transportation cost and probably a higher coal cost than
5 is shown on Exhibit 6.

6 **Q.** So if 29A were modified to include that last
7 transportation leg to the terminal, would the
8 differential between what's shown for the bituminous
9 purchases and the delivered cost of the evaluated cost
10 in the RFP from '04 increase or decrease?

11 **A.** They would increase on 29A.

12 **Q.** In that instance would the PRB purchases be
13 more or less attractive compared to the bituminous
14 purchases reported on 29A?

15 **A.** Would you ask that again?

16 **Q.** Yes. If you fold in the additional component
17 of transportation cost that is not shown on this sheet
18 and compare that to the corresponding delivery costs
19 shown in the evaluated cost from the 2004 RFP, would the
20 sub-bituminous coal bids be more attractive or less
21 attractive in terms of the differential between the
22 costs?

23 **A.** The 29A numbers would be less attractive
24 compared to Exhibit 6.

25 **Q.** When you say the 29A prices, are you referring

1 to the bituminous purchases there?

2 **A.** I'm really referring to both of them.
3 Bituminous coal would be less attractive than the
4 bituminous coal in 6. Sub-bituminous would be less
5 attractive than the sub-bituminous on Exhibit 6.

6 **Q.** I see what you're saying. All right. Now,
7 with respect to the blending, I'll refer you to the
8 answer in 29A, one, two, three, four, five, six, about
9 six lines in it says in the summer of 2007, Progress
10 Energy was offered and purchased low quality Central
11 Appalachian coal that was a cost-effective blend coal.
12 Do you see that?

13 **A.** I do.

14 **Q.** If the coal was offered in the summer of 2007,
15 when would it have been delivered, if you know?

16 **A.** I assume it would have been delivered shortly
17 after that in a spot market kind of buy.

18 **Q.** Does 29A shed any light on the volumes of the
19 lower bituminous coal that were blended in that fashion?

20 **A.** No, I do not see any tonnage numbers on here.

21 **Q.** And does 29A address, in any fashion, the
22 corresponding savings that could have been achieved in
23 2006 with the PRB blend?

24 **A.** It does not.

25 **Q.** Based upon the information available to you,

1 Mr. Putman, do you think the savings that should have
2 been accomplished by virtue of purchases of
3 sub-bituminous coal for delivery in the 2006 and 2007 on
4 the one hand, and the blending of lower Btu bituminous
5 coal with higher Btu bituminous coal that began sometime
6 in the summer of 2007 on the other hand, are those two
7 measures necessarily mutually exclusive?

8 **A.** They are not mutually exclusive.

9 **Q.** If they are not mutually exclusive, does the
10 blending of the bituminous coals referred to in 29A do
11 anything to cover or mitigate the savings that could
12 have been accomplished with the purchases of the
13 sub-bituminous coal emanating from the 2004 RFP and the
14 opportunity presented by the Indonesian coal?

15 **A.** It is my stated opinion that both of them
16 could have existed. You could have captured the value
17 of the blend based on 2004, and then later in 2007 when
18 this opportunity became available, it could have been
19 captured, too, if it was a real savings. I do not feel
20 that it does mitigate it.

21 **Q.** Yesterday you were asked some questions about
22 the design basis coal. Preliminarily, and to set the
23 stage for further questions, would you describe for the
24 Commission your understanding of the significance of the
25 design basis coal, speaking generally at this point.

1 **A.** When you design a unit you have to decide what
2 kind of coal you expect to burn in it, and you come up
3 with a design basis coal. And the design basis coal is
4 going to have all the characteristics of a coal that you
5 would expect to burn and then the engineers go about
6 designing the unit in such a way that it will
7 successfully burn around that design basis coal.

8 **Q.** And as you understand it, what is the design
9 basis fuel for Crystal River 4 and 5?

10 **A.** It was a 50/50 blend of eastern bituminous
11 coal and western sub-bituminous coal.

12 **Q.** And what is the significance of the
13 geographical designations in the design basis coal?

14 **A.** It was a shorthand for saying bituminous
15 eastern coal and sub-bituminous coal out of the Powder
16 River Basin area. Powder River Basin being a broad term
17 not restricted to states.

18 **Q.** As you understand the use of the design basis
19 coal, is there any relationship between the
20 specification of the design basis coal on the one hand
21 and reliability of deliverability on the other?

22 **A.** No. It's a coal, the expectation is that you
23 are going to be able to get that coal to your plant.

24 **Q.** Is the purpose of the design basis fuel to
25 limit the geographical origins of the coal to be burned

1 in the unit?

2 **A.** No. The decision on a design basis coal is to
3 sort of set some parameters for design. What will then
4 happen is the engineering company would meet with the
5 utility who is purchasing this and decide how broad a
6 range around that design coal they want that plant to be
7 built. Whether they want to build a narrow plant that
8 can only burn one particular narrow kind of coal, or
9 they want to broaden around that design basis midpoint
10 so that they can burn a wider range of coal, that is a
11 decision made between the buying utility and the
12 engineering firm to implement that decision.

13 **Q.** There were some questions and answers
14 yesterday about the e-mail from the representative of
15 PT Adaro describing that there was -- that firm had no
16 coal to offer in 2007. What is the time frame of the --
17 what is the period during which your recommendations
18 would have effect in terms of deliveries to Crystal
19 River 4 and 5?

20 **A.** The bid was made in the February 2006 time
21 period. The expectation would be if you were going to
22 buy that you would put that in place, contract for that
23 within 30 or 60 days, around that time, and deliveries
24 would have started January 1st, 2007.

25 **Q.** Do you see any relationship to the bid that

1 was submitted in February 2006 and the statement that
2 the same company had no coal to offer in 2007?

3 **A.** I do not see that different time frame.

4 **Q.** Again drawing on your experience with being
5 involved with RFPs, bids, contracting, do you have an
6 opinion as to what the consequences would be if an
7 entity were to submit a bid and then it were discovered
8 that the bid had no coal to back it up?

9 **A.** It would be very damaging to the bidders, the
10 coal company's reputation. It would make every bid
11 thereafter suspect and would have a serious impact on
12 that company's reputation and success.

13 **Q.** Now I believe yesterday there was reference to
14 the practice of submitting bids that are subject to
15 prior sale. Based on your experience, is that typical
16 or atypical in the industry?

17 **A.** I'd say that was fairly typical. And I would
18 also say that if a company makes that kind of an offer
19 subject to prior sale and they sell it, they are very
20 quick to inform the utility that that coal is no longer
21 available to protect their reputation, and they would
22 usually do that in writing.

23 **Q.** You were asked some questions about the
24 delivery risk associated with the Indonesian coal. Do
25 you recall that question and answer?

1 **A.** I do.

2 **Q.** Do other coals at other geographic locations
3 have delivery risks associated with them?

4 **A.** Yes. All coals have their own sets and kinds
5 of risk about delivery. Yes.

6 **Q.** Given that each origin of coal has associated
7 with it a delivery risk, in your experience and in your
8 opinion what's the best way for a utility to manage
9 those risks?

10 **A.** The best way to manage risks about either both
11 price and delivery is to have a range of options that
12 you can call on. So if you buy coal by rail out of
13 Central Appalachia and buy coal by barge down the
14 Mississippi River and buy coal by oceangoing vessels
15 from South America or Indonesia, then you've got
16 multiple delivery paths. If one of them becomes
17 disrupted, you can increase the other flows and mitigate
18 your risk that way.

19 **Q.** You were asked some questions about the, the
20 graph showing, that's captioned Coal Costs/Quality
21 Gradient, and I believe in your answer you said time is
22 a more important consideration than quantity. Do you
23 remember that question and answer?

24 **A.** Yes, I do, and that was my response.

25 **Q.** Would you elaborate on what you meant when you

1 said that time is a more important consideration?

2 **A.** The coal market is a volatile market; it goes
3 up and down over time. And so pricing of any kind of an
4 offer, spot coal, contract coal, is heavily dependent on
5 what is the market expectation at the time an offer is
6 made. Is the supplier expecting the prices to go up, do
7 they expect them to go down? So they're trying to tie
8 in a price now to get a higher price than when it goes
9 down. All those expectations, everybody has got one,
10 the supplier has got a set, the buyer has got a set, it
11 drives the price significantly. And in a volatile
12 market, just like buying stock in the stock market, what
13 are your expectations? That makes a big difference
14 about what you're going to pay.

15 The quantity is going to be based on what the
16 coal supplier has got on hand, issues that really are
17 less important to everybody than the timing and market
18 expectations.

19 **Q.** Can you determine the two different periods of
20 time that are reflected in this Coal Cost/Quantity
21 Gradient handout?

22 **A.** I can define the bottom number because as I
23 understand it that was the PRB Peabody bid that was for
24 the test burn. I don't know the timing or even what the
25 top box is based on.

1 **Q.** And to be clear, do you accept the Peabody,
2 the cost of the Peabody transaction as representative of
3 what the price the utility would have paid for
4 deliveries in 2006?

5 **A.** Bought in that month at that time that was a,
6 a price that was available. Yes.

7 **Q.** But is it the price that was available to
8 Progress Energy had it taken advantage of the offers in
9 the earlier RFP?

10 **A.** If they had bought that same quantity coal in
11 2004, it would have been a lower price, again, because
12 of timing.

13 **Q.** The, the graph shows a straight line
14 connecting two points. In your experience, is there a
15 straight line linear correlation between the data points
16 shown on this graph?

17 **A.** It is my experience that there is not a
18 straight line correlation between quantity and price.
19 It is too dependent -- even in the same time period it's
20 depending on what a supplier has got, what he's got
21 available, how many tons he's got to sell.

22 **Q.** I believe Commissioner Argenziano asked you a
23 question about the design of the unit that corresponded
24 to the design basis fuel. Can you describe whether
25 there is a range of design criteria that range from

1 conservative to less conservative design principles when
2 building a power plant corresponding to a design basis
3 fuel?

4 **A.** Yes. Again, I describe the design basis fuel
5 as sort of the center point or a point on the design,
6 and then the decision is to, how wide do we go around
7 that design basis fuel. If, for example, the design
8 basis fuel has a particular slagging and fouling
9 characteristic -- slagging and fouling is what occurs in
10 a boiler, can occur in a boiler to clog up the air flow
11 passages where the hot gases flow through the boiler.
12 If you have a lot of slagging and fouling, it begins to
13 clog up around that and the flow becomes restricted, the
14 unit becomes less efficient, lots of problems occur. So
15 that's an important design characteristic.

16 So you look at the design fuel and say, okay,
17 this fuel has got these kind of characteristics. So you
18 can then agree, decide to buy a unit that's built in a
19 very narrow range around those kind of characteristics,
20 or you can say, no, let's build one that's more
21 conservative so that you can handle more slagging and
22 fouling or let's buy one that's very conservative so
23 that almost you can't slag and foul it up, it's not
24 going to be a problem. All those cost more money.
25 Every time you take an expanding step, it costs more

1 money. And so that's the decision that gets made.

2 But, yes, you start with the design fuel and
3 you buy around that design fuel depending on do you want
4 a Volkswagen or do you want a Lexus.

5 **Q.** Now with respect to that continuum you
6 described from less conservative to more conservative,
7 where would Crystal River Units 4 and 5 fall in that?

8 **A.** Crystal River, and I complimented the prior
9 management, was a Lexus. It was one that was built to
10 have a wide range of capability around that design fuel
11 so that you didn't just have to buy that narrow design
12 fuel. You could buy a significant range of coals that
13 were worse and better than that design fuel.

14 **Q.** Staff asked you a question about the Vista
15 model results for 2004 and 2005. And in response you
16 said that you have learned that, as applied, Vista
17 apparently did not consider sodium well. Would you
18 elaborate on what you meant by that answer?

19 **A.** Sodium is a problem. Sodium is one of the
20 causes of slagging and fouling. That's an indicator.
21 Sodium is found in the ash of the coal. It's a small
22 amount, but what it does is it starts to stick on the
23 walls of the tubes and start this clogging process. And
24 it stays in sort of a liquid kind of state. And so once
25 it's there, it catches the next thing that comes along

1 and it can build up over time. Plants have sub-blowers
2 that use steam to knock that stuff off the wall and keep
3 it clean; sort of a high-pressure cleaning process. But
4 it is a problem.

5 And the Spring Creek coal, not because of the
6 state it's in but just because of geological events that
7 occurred back in the billions of years ago, it has a
8 high sodium content. And people buy coal who are aware
9 of that say that that's something that you've got to
10 really be prepared to take care of.

11 And so it would be my expectation that the
12 Vista model would model that characteristic. Because
13 sodium is such a swinger of effects, if the sodium -- if
14 the model was any good, it would say an 8 percent sodium
15 coal is a whole lot worse than a 1 percent sodium coal.
16 And it should have showed up; it should have caused that
17 coal to have a higher utilization cost. And the fact
18 that it still ended up number one in the bid process
19 makes me suspect that it either was not run, the Vista
20 model was not run, or less likely that the Vista model
21 does not consider sodium.

22 But in any case, in reality I will admit it
23 should not have ended up number one, but it did. And in
24 my process I did not second-guess the model. I did not
25 second-guess the way Progress Energy ran it and the fact

1 that it came up number one.

2 Q. Now you've mentioned in earlier answers that
3 Crystal River 4 and 5 were designed conservatively to
4 accommodate slagging and fouling properties in coal. Do
5 you recall saying that?

6 A. Yes.

7 Q. Is the Vista model configured in a way that
8 reflects the individual design and architecture of the
9 power plant that's being modeled?

10 A. The model is intended to be customized to fit
11 the specific design of the plant, both the physical
12 design and their cost structure. Because what you're
13 going to end up with is a cost of how much does it cost
14 to burn this particular coal.

15 So if it means that, for example, sodium is
16 going to cause slagging and fouling and you're going to
17 have to run the precipitator, I mean the sub-blowers
18 more with that coal than you would with a clean coal,
19 then that's going to add to the cost. So what you're
20 going to end up with is more cents per million, cents
21 per ton because of that. That's what the model is
22 supposed to do. You're supposed to model it based on
23 your unit.

24 Q. If the Vista model is configured to the
25 conservative design of 4 and 5, would the ability of

1 the, of the units to accommodate coals that have the
2 slagging and fouling properties be reflected in the
3 output of that Vista run?

4 **A.** Yes. And, again, the Vista run is not going
5 to decide by itself what coal you're going to buy. What
6 the Vista model would do is, okay, if you compare
7 Crystal River 4 and 5 to some other less conservative
8 unit, it's going to cost less to burn that coal in
9 Crystal River than it is in this other one. It's still
10 going to cost more to burn a high-sodium coal in Crystal
11 River than a low-sodium coal, but it's not going to cost
12 as much as other units. So it's going to take that
13 conservatism into it. It's going to say, yes, there's a
14 cost for burning that low-sodium -- high-sodium coal.
15 Now you decide based on all the other factors, you the
16 company, is it still a good thing to do.

17 But, yes, it's going to take into account the
18 fact that that plant was designed with wide spacing
19 between its tubes so that it takes a longer time to
20 bridge that over, maybe it doesn't ever get bridged
21 over, and it can be kept clean. Yes.

22 **Q.** Does the fact that the Spring Creek coal or
23 the blend of which Spring Creek coal is a portion in the
24 second bid for Kennecott, does the fact that that coal
25 is going to be blended 20/80 with bituminous coal

1 containing less sodium have an effect on the extent of
2 any sodium impacts in Crystal River 4 and 5?

3 **A.** Absolutely. Because it means that you're only
4 putting 20 percent of the total flow of coal into that
5 unit is the high-sodium coal. It's still going to have
6 some impact, but it's significantly reduced by that
7 ratio so that you can't look at just Spring Creek coal
8 and say, ooh, this is bad, because it's -- a lot of the
9 Btus, a lot of the flow is going to come from the
10 bituminous coal that doesn't have the problem to combine
11 with the sub-bituminous Spring Creek coal that does have
12 the problem. So it reduces the problem.

13 **Q.** Have you had an opportunity to calculate the
14 effective sodium content of the 20/80 blend when Spring
15 Creek is blended with, with bituminous coal?

16 **A.** I did look at that and I did run a sheet to
17 evaluate, show that.

18 **Q.** And what does the calculation, what does that
19 calculation show?

20 **A.** I'm going to have to ask for my sheet that I
21 ran that I hope Earl's got.

22 **CHAIRMAN CARTER:** Mr. McGlothlin, would you
23 yield for a moment, please, sir?

24 **COMMISSIONER SKOP:** Mr. Chair, I probably can
25 reserve until Mr. McGlothlin is done.

1 **CHAIRMAN CARTER:** You want to wait? Okay.

2 **MR. MCGLOTHLIN:** I'm sorry. Was your question
3 how much longer do I have or do I want to yield?

4 **CHAIRMAN CARTER:** It's kind of hard to hear in
5 here sometimes, isn't it?

6 **MR. MCGLOTHLIN:** I'm sorry.

7 **CHAIRMAN CARTER:** You may proceed.

8 **MR. MCGLOTHLIN:** Mr. Poucher is distributing a
9 document captioned Effects of Blending Sodium in
10 Different Coals. May we have an exhibit number on that?

11 **CHAIRMAN CARTER:** Commissioners, for the
12 record this will be Exhibit Number 57. Exhibit Number
13 57. We'll just say Effects of Blending Sodium in Coal
14 or something like -- is that okay, Mr. McGlothlin? Will
15 that work for you as a short title?

16 **MR. MCGLOTHLIN:** Yes, sir.

17 (Exhibit 57 marked for identification.)

18 **COMMISSIONER SKOP:** Mr. Chair.

19 **CHAIRMAN CARTER:** Commissioner Skop.

20 **COMMISSIONER SKOP:** Thank you, Mr. Chair.
21 We've been going for quite some time. Would it be
22 possible to take like a brief three-minute break or a
23 five-minute?

24 **CHAIRMAN CARTER:** Mr. McGlothlin is in his
25 stride.

1 (Laughter.)

2 **COMMISSIONER SKOP:** Duty calls.

3 **MR. McGLOTHLIN:** A break is fine.

4 **CHAIRMAN CARTER:** Is that okay with you,
5 Mr. McGlothlin?

6 **MR. McGLOTHLIN:** Yes, sir. Yes, sir.

7 **CHAIRMAN CARTER:** Okay. Then let's do this,
8 Commissioners. Let's take five. We're on recess for
9 five. We'll come back at, on the hour.

10 (Recess taken.)

11 We are back on record. Mr. McGlothlin, you
12 may proceed.

13 **MR. McGLOTHLIN:** Thank you.

14 **BY MR. McGLOTHLIN:**

15 **Q.** Mr. Putman, prior to the break we had
16 distributed a document that's been marked Exhibit 57.
17 Do you have it in front of you?

18 **A.** I do.

19 **Q.** The first page is captioned Effect of Blending
20 Sodium in Different Coals. Would you describe for the
21 Commissioners the information that is contained on that
22 page?

23 **A.** This is a discussion of what happens as you
24 blend sodium. The first thing you need to know is that
25 sodium is measured in terms of percentage in the ash,

1 not percentage of the overall coal. First you take out
2 the ash part of the total coal and then you divide it up
3 on a percentage basis to the components that are in the
4 ash, and sodium is one of those that's measured that
5 way.

6 So that a CAPP coal with an ash of 15 percent
7 and a 1 percent sodium produces a relatively small
8 amount of sodium. The sub-bituminous coal that we're
9 talking about with a 5 percent ash and an 8 percent
10 sodium produces a small amount of sodium. And if you
11 follow the numbers underneath that, CAPP coal sodium for
12 800,000 tons, and I'm making a 80/20 blend, 800,000 tons
13 of CAPP coal with a 13 percent ash, you get 104,000 tons
14 of ash. And if you multiply that by a .01 sodium, you
15 get 1,040 tons of sodium. And if you combine that with
16 a sub-bituminous coal, twenty -- 200,000 tons, again
17 making the 80/20 blend, with a 5 percent ash, you get
18 10,000 tons of ash. With the sodium part of that of
19 8 percent of the ash, you get 800 tons of sodium. You
20 blend that, you get this one million eight hundred -- I
21 mean 1,840 tons of sodium divided by the total tons of
22 ash, not the tons of coal but the tons of ash, and you
23 get a 1.61 percent sodium in this blend.

24 So, again, you start off with a high
25 sub-bituminous Spring River kind of sodium of 8 percent,

1 you blend it with a low-sodium CAPP coal, and you end up
2 with a number 1.61, which is down more in the normal
3 kind of range of what you'll see in, in other kinds of
4 coal.

5 **CHAIRMAN CARTER:** Mr. McGlothlin, would you
6 yield for a moment?

7 **MR. MCGLOTHLIN:** Yes, sir.

8 **CHAIRMAN CARTER:** Had you finished, Mr.
9 Putman?

10 **THE WITNESS:** Pardon?

11 **CHAIRMAN CARTER:** You go ahead and finish your
12 answer.

13 **MR. MCGLOTHLIN:** Have you completed your
14 answer?

15 **THE WITNESS:** Yes. That's my answer. Yes.

16 **CHAIRMAN CARTER:** Okay. Would you yield for a
17 moment?

18 **MR. MCGLOTHLIN:** Yes, sir.

19 **CHAIRMAN CARTER:** Thank you.

20 Commissioner Skop, you're recognized.

21 **COMMISSIONER SKOP:** Thank you, Mr. Chairman.

22 Just a quick point of clarification on the
23 calculation that we're looking at in terms of the
24 blending of different coals. Are we to assume that the
25 sub-bituminous coal listed in this exhibit is the

1 Springhill, I mean, Spring Creek coal?

2 **THE WITNESS:** Yes.

3 **COMMISSIONER SKOP:** All right. Thank you.

4 **CHAIRMAN CARTER:** You may proceed.

5 **BY MR. MCGLOTHLIN:**

6 **Q.** Thank you, Commissioner. That was going to be
7 my next question.

8 And just to follow through on that,
9 Mr. Putman, the CAPP coal there, is that representative
10 of the type of bituminous coal that would be blended
11 with the Spring Creek coal at Crystal River 4 and 5?

12 **A.** It's representative of CAPP coal. Yes.

13 **Q.** And to be clear, the Spring Creek coal that
14 was shown having a sodium percentage of 8 percent, on a
15 standalone basis and for the Commissioners, what does
16 the effect of, what does the effect of blending
17 20/80 with the CAPP coal have on the corresponding
18 sodium content of the blended coal?

19 **A.** The blended coal comes out to be a
20 1.61 percent of the ash is sodium.

21 **Q.** Now if you know, do power plants have
22 associated with them what would be a normal range of the
23 sodium content that could be expected to accommodate
24 without difficulty?

25 **A.** Yes. Any power plant, once built, will have a

1 range that it can accommodate and then it will probably
2 have a range of preferred level of sodium. I mean,
3 power plant operators like to have perfect coal and so
4 they've got ones they prefer, but then the unit was
5 designed to handle a range.

6 Q. What would the normal range be in your
7 experience?

8 A. I'm not sure there's a normal range. Every
9 plant is different, and it goes back to what were the
10 decisions made at the time the unit was purchased.

11 Q. Have you had occasion to compare the effective
12 content of sodium, the 1.61 percent associated with this
13 blend, with the corresponding sodium content of design
14 basis fuel for Crystal River 4 and 5?

15 A. It falls within an acceptable range of the
16 design fuel.

17 Q. What would you conclude from that, from that
18 comparison?

19 A. That this plant, Crystal River 4 and 5 were
20 built and designed to burn a coal range that is actually
21 bigger than this 1.61 percent. So it would -- these
22 units were built to burn this kind of coal.

23 Q. Mr. Putman, have you heard the term "sodium
24 conditioning" as it relates to power plant operations?

25 A. I have.

1 **Q.** What does it mean?

2 **A.** It has to do with the gas flow through the
3 precipitator. Sodium and working with ash allows the
4 resistivity of the ash to be more conducive to being
5 collected in the precipitator. So whereas sodium may be
6 a problem in the boiler, it becomes a help in the
7 precipitator. Air flow going through the precipitator
8 is -- the precipitator is built electrically. It
9 creates an electric field. The ash flows because of
10 that electric field based on its resistivity.

11 The resistivity of a low sulfur coal makes it
12 more difficult to collect. The resistivity of sodium
13 added to that combines with the ash, makes the ash
14 easier, more effective to collect.

15 So some plants who are burning a low sulfur
16 coal and have a small precipitator add sodium into the
17 air flow to allow that smaller precipitator to be more
18 effective to collect the ash flowing through the
19 precipitator.

20 **Q.** If you know, do any of the producers of coal
21 add sodium at the mine in response to a request that it
22 be done by utilities?

23 **A.** Yes. Several of the producers in the Wyoming
24 area that produce a low sodium and low sulfur coal offer
25 as part of a deal that they will put some sodium into

1 the coal as it's loaded onto railcars so that they don't
2 have to install a sodium injection system at the plant.
3 The coal has sodium as it flows through the boiler and
4 through the precipitator.

5 Q. Mr. Burnett asked you if your calculations
6 assumed that the, whether the quantity of waterborne
7 coal that you included encompassed those tons delivered
8 at the Alabama State Docks. Do you recall that?

9 A. Yes.

10 Q. And he asked you if you were aware that
11 Progress Energy does not currently have a contract for
12 blending services at Alabama State Docks. Do you recall
13 that?

14 A. I recall that.

15 Q. And you said the fact that the company does
16 not currently have a contract would not alter your
17 decision to include those tons of coal. Would you
18 explain why that would not affect your analysis?

19 A. The Commission last, in the last docket said
20 that it would be a good thing to blend 20 percent coal
21 offsite. They would not recommend or support blending
22 onsite. They said it would be blended offsite.

23 Practically that means that that blending
24 needs to occur at a place where you can put the
25 bituminous and the sub-bituminous on the ground, blend

1 it by weight and put it back into a barge to take to the
2 plant. You could also do that at a rail point, but
3 there's not a practical location to do that. So that's
4 why I focused in my analysis on waterborne coal.

5 But, so we looked at the coal that was
6 actually moved in tons to Crystal River in 2006, 2007
7 and said in this hypothetical if they were to have
8 bought coal, Powder River Basin coal with the intent to
9 blend it with all waterborne coal, some of that
10 sub-bituminous coal would have gone to IMT, some of it
11 would need to go to another place where waterborne coal
12 was being received in order to blend with that
13 waterborne coal. That other place, especially in 2007,
14 was at the Alabama State Docks.

15 So if they were implementing the plan to have
16 bought this sub-bituminous coal and to blend it, they
17 would need to take it to where the bituminous coal was,
18 which was at the Alabama State Docks, which would assume
19 that they would then go and get an agreement, a contract
20 with the State Docks to blend it just like they have a
21 contract at IMT to blend. So that's why I assumed in my
22 study because I am familiar with Alabama State Docks and
23 I know they do blending services.

24 Q. Does Alabama State Docks possess the
25 facilities with which to blend coal for customers?

1 **A.** Absolutely. They are proud of their ability
2 to blend coal. That's what they do.

3 **Q.** Do they hold themselves out as providing that
4 service for their clients and customers?

5 **A.** Yes, they do.

6 **Q.** If Progress Energy had an opportunity to lower
7 its fuel costs and lower the customers' bills
8 accordingly by blending sub-bituminous and bituminous
9 coals at Alabama State Docks, would they have an
10 incentive to enter such a contract?

11 **A.** Would Alabama State Docks have an incentive?

12 **Q.** Would Progress Energy?

13 **A.** Yes. Progress Energy would have an incentive
14 if they were looking out for their customers, and State
15 Docks would have an incentive to capture that business.

16 **Q.** Mr. Burnett asked you some questions about the
17 subject of the possible double-counting of SO2 credits
18 in your calculations. Do you recall that?

19 **A.** I do.

20 **Q.** And you said that you are not persuaded that
21 the evaluation exercise incorporates SO2 in the same
22 manner as the emission allowance calculation. Do you
23 recall that?

24 **A.** I do.

25 **Q.** What efforts have you made to ascertain the

1 extent to which there is or is not double-counting in
2 your calculation?

3 **A.** I looked at the evaluation sheets for both the
4 2006, I mean, the 2004 inquiry and for the 2006 inquiry.
5 Those are in my exhibits: For 2004 it's DJP-6, for 2006
6 it's DJP-8.

7 For purposes of illustration, let's look at
8 DJP-8 because it spells out the utilization cost. If
9 you look at Page 1 of 2, and this again is a long
10 spreadsheet as opposed to a paging, you have to sort of
11 walk across the lines to get there. But if you look at
12 just for demonstration purposes the first bid, Glencore
13 LTD, and you were to walk all the way across that, you
14 would come to a line on the second page called
15 utilization cost per ton, straight ton. In this first
16 example that is 18 cents per straight ton is the cost of
17 utilization of this coal as determined by Progress
18 Energy.

19 If you go back a page, on this sheet there's
20 a, again on that same line, an SO2 price of \$1,514.
21 This is the cost of an allowance as they viewed it to
22 exist when they made this evaluation in February 2006.
23 \$1,514 for cost of an allowance.

24 So now if you were to run a calculation, and I
25 would like to pass around a sheet of paper --

1 **CHAIRMAN CARTER:** One moment before you
2 proceed further. If you would yield for a moment.

3 **COMMISSIONER SKOP:** Thank you, Mr. Chairman.
4 I'm trying to follow the witness and I'm just not seeing
5 those numbers on the data we have. And I don't know if
6 there's a confidential issue or what have you, but I'm
7 trying to look at the same data and follow along. So
8 can somebody help me out with that?

9 **MR. MCGLOTHLIN:** I may be able to help.

10 **BY MR. MCGLOTHLIN:**

11 **Q.** Mr. Putman, do you have a more general
12 illustration of the points you're making that you can
13 provide the Commissioners?

14 **A.** I do, but it's important to be able to follow
15 that on the sheet. I will --

16 **MR. MCGLOTHLIN:** Okay. I don't think there's
17 a confidentiality problem.

18 **THE WITNESS:** We cleared that last time.

19 **COMMISSIONER SKOP:** I guess I'm not, I'm not
20 even seeing it on the sheet. That's the problem.

21 **THE WITNESS:** You got DJP-8 out of my direct
22 testimony?

23 **COMMISSIONER SKOP:** I believe so.

24 **MS. BENNETT:** Mr. Chairman.

25 **CHAIRMAN CARTER:** Yes, ma'am, Ms. Bennett.

1 **MS. BENNETT:** In the attachment to the
2 testimony DJP-8 is confidential, but in yesterday's
3 hearing Progress released the confidential information.

4 **CHAIRMAN CARTER:** Do you remember that number?

5 **MS. BENNETT:** And it's Exhibit 50.

6 **CHAIRMAN CARTER:** 50? Okay. Thank you,
7 Ms. Bennett. Commissioners.

8 **THE WITNESS:** I apologize.

9 **CHAIRMAN CARTER:** Mr. McGlothlin, you may
10 proceed.

11 **THE WITNESS:** Let me go back and make sure
12 everybody is there. Does everybody see Glencore LTD on
13 that? Y'all are still not seeing it.

14 (Pause.)

15 Is there a way I can help?

16 **CHAIRMAN CARTER:** You may proceed, unless
17 you're waiting on Mr. McGlothlin.

18 **THE WITNESS:** No. I'm -- if you follow that
19 top line across on the first --

20 **MR. MCGLOTHLIN:** First --

21 **CHAIRMAN CARTER:** Have you got a question?

22 **MR. MCGLOTHLIN:** I think we were concerned
23 that the Commissioners were not finding the specific
24 reference.

25 **CHAIRMAN CARTER:** We got it.

1 **MR. McGLOTHLIN:** Everybody has it? All right.

2 **CHAIRMAN CARTER:** We got it.

3 **BY MR. McGLOTHLIN:**

4 **Q.** Please proceed, Mr. Putman, with your
5 explanation.

6 **A.** Okay. I'll just start on the first page
7 again. Glencore LTD, follow that top line across, on
8 the first page you'll find an SO2 price, \$1,514. That
9 is the allowance price as assumed in the time they did
10 this sheet.

11 You proceed on to the second page, still on
12 that top line, again it's a continuous wide page.
13 You'll find towards the left-hand side a utilization
14 cost in dollars per ton, and that is 18 cents as the
15 utilization cost. Again, that is the output, as I
16 understand it, from the Vista run or some other similar
17 evaluation of the impact of the cost of that particular
18 coal in that particular unit would cost 18 cents.

19 Well, then I ran a calculation check and I
20 would like to have this passed out. And in keeping with
21 the other sheet, this one is also a wide sheet put
22 together in sort of short notice, so I apologize.
23 You'll have to unstaple it.

24 All right. This is a calculation that you
25 would go through to determine for a particular coal how

1 many, how much you're going to have to spend in a
2 allowance cost based on a ton of this coal being burned,
3 assuming that there is no, that it's all, you're paying
4 allowances for all the emissions. Not just emissions
5 over some standard, but for all the emissions out of the
6 stack for sulfur.

7 And what you do is you take the quality of the
8 coal in Btus per pound, convert that into tons, and then
9 convert that into pounds of SO₂. You multiply it by the
10 pounds of SO₂ per million Btu. We'll talk about that.
11 Multiply that in another conversion and you multiply it
12 by the allowance cost, which is in dollars per ton SO₂,
13 and you come out with the dollars per ton. Again, this
14 is not my best work, but hopefully we can work our way
15 through it.

16 When you remove the two conversions of
17 2,000 pounds per ton that cancel each other out, you end
18 up with an equation that you take -- and, again, the
19 example under that of the 12,200 Btus per pound is that
20 same Glencore coal. If you follow that line across, you
21 would find that that is a 12,200 Btu per pound. You
22 multiply it by its sulfur SO₂ content, which you will
23 find on the second page, the third column, SO₂ is 1.20.
24 What that is is in terms of pounds per SO₂ per million
25 Btus. You multiply that by the allowance cost, which is

1 \$1,514, and you run all that math and you will find that
2 the cost of burning that coal in money you would spend
3 in allowances is \$22.16. That's what it's going to cost
4 you to burn a ton of that coal. And that's a very big
5 number and it's a whole lot higher than the 18 cents
6 utilization cost shown on their chart.

7 And if you were to run those numbers and you
8 looked down at that utilization cost chart, you'll see
9 they're all significantly smaller than \$22. But if you
10 were to run this same equation for all those numbers,
11 you would find that they don't match, that the cost in
12 allowances alone is higher than the total utilization
13 cost calculated by Progress Energy. So that said to me
14 that the utilization cost that's shown on this sheet did
15 not include the use of allowances based on the
16 \$1,514 that they assumed at that point.

17 So that's what drove my assumption that the
18 Vista model, the utilization model did not include the
19 cost of sulfur allowances. And so that's why it was
20 necessary to follow the procedure that was developed
21 last case and run a comparison of the cost of allowances
22 for the coal as purchased and as received, the base
23 case, and compare that to the cost of the blended coal
24 that was proposed, and you come up with a savings by
25 using the blend on just the allowance part of it.

1 **MR. McGLOTHLIN:** If I may -- first, Chairman
2 Carter, could we have an exhibit number assigned to
3 this?

4 **CHAIRMAN CARTER:** Okay. That would put us to,
5 that would give us Exhibit Number 58. 58. A title,
6 Mr. McGlothlin, short one.

7 **MR. McGLOTHLIN:** I'm trying -- the short is
8 the tricky part. Treatment of sulfur in evaluation
9 versus emission allowance cost.

10 **CHAIRMAN CARTER:** How about Sulfur Evaluation
11 Treatment, would that work with you?

12 **MR. McGLOTHLIN:** I agree that's shorter.

13 (Exhibit 58 marked for identification.)

14 **CHAIRMAN CARTER:** Okay. You may proceed.

15 **MR. McGLOTHLIN:** Only because that was a
16 lengthy explanation of a mathematical exercise, I'd like
17 to ask a couple of summarizing questions, if I could,
18 for the record.

19 **CHAIRMAN CARTER:** You may proceed.

20 **BY MR. McGLOTHLIN:**

21 **Q.** Mr. Putman, do I understand correctly that
22 Exhibit 58 is a mathematical computation that's based on
23 the information shown for the Glencore coal that is the
24 first entry in your DJP-8?

25 **A.** That is correct.

1 **Q.** And you referred to a utilization cost of 18
2 cents. Is that the credit or penalty that the
3 evaluation process assigns to Glencore on the basis of
4 its sulfur content?

5 **A.** On the basis of all the contents of that coal
6 as compared to the utilization to the plant design. So
7 it's not just sulfur. It would be sodium, it would be
8 sulfur, it would be Btu, moisture, all the contents of
9 the coal.

10 **Q.** And what is the \$22.16 with which you are
11 comparing the 18 cents?

12 **A.** It is the cost only of burning that coal based
13 on its sulfur SO2 emission and the cost of allowance.

14 **Q.** If the evaluation process took into account
15 fully the cost of emission allowances, SO2 emission
16 allowances, what would you, what would you expect the
17 relationship between the two values that you've
18 identified here to be?

19 **A.** It would be not quite a summation of those two
20 numbers, but it would be close to a summation of those
21 two numbers.

22 **Q.** And what does the differential between, the
23 differential between 18 cents on the one hand and
24 \$22.16 on the other hand tell you with respect to
25 whether you have double counted the benefits of sodium,

1 excuse me, of sulfur in your analysis?

2 **A.** It appears that the evaluation did not include
3 the effect of allowance costs, and therefore there was
4 not any double-dipping done.

5 **Q.** You were provided an answer to an
6 interrogatory that FPL, in which FPL indicated it had
7 rejected some Spring Creek coal because of high sodium
8 content. Do you recall that document?

9 **A.** I have that in front of me.

10 **Q.** Does it surprise you that the Scherer
11 operation would not be interested in the Spring Creek
12 coal?

13 **A.** What this says to me is that apparently Spring
14 Creek was a low-cost bid because otherwise they wouldn't
15 even comment on it, but that they elected to reject it.
16 But Plant Scherer was not designed to burn
17 sub-bituminous coal, so its design compared to its
18 designed coal would be much more conservative. So it
19 would be much more of a problem for that plant to burn a
20 high-sodium coal because the tubes, again, would be
21 closer together causing more of an opportunity for
22 bridging by slagging and fouling than the Crystal River
23 one. So it does not surprise me that Scherer would have
24 been more inclined to reject a high-sodium coal.

25 **Q.** You've spoken to the design, the difference in

1 the designs of the plant. In response to a question
2 from Mr. Burnett, you acknowledged that at Scherer they
3 burn 100 percent sub-bituminous coal, do they not?

4 **A.** They do.

5 **Q.** Compared to the effect of the blending
6 20/80 on the sodium content at Crystal River 4 and 5,
7 what would the corresponding percentage of sodium be if
8 Scherer were to burn 100 percent Spring Creek coal?

9 **A.** It would be in the 8 percent range of full
10 Spring Creek sodium.

11 **Q.** And that 8 percent is compared to -- what was
12 the effect of blended sodium content for the Crystal
13 River 4 and 5?

14 **A.** 1.6 percent.

15 **Q.** Mr. Burnett asked you to agree that with
16 respect to the Spring Creek coal the transportation
17 costs of that particular coal would exceed the cost of
18 the commodity. Is that unique to Spring Creek coal or
19 is it true, applicable to other western coals as well?

20 **A.** It would be true for all the Powder River
21 Basin coal as I use that term. Moving to the southeast
22 United States, the transportation will be a bigger
23 component than FOB price number.

24 **Q.** Mr. Burnett asked you some questions regarding
25 the relationship between the use of the Spring Creek

1 coal at Crystal River 4 and 5 on the one hand and the
2 installation of the scrubbers in those units on the
3 other. Are you aware of the status of the scrubber
4 project at Crystal River 4 and 5?

5 **A.** Only very generally. Generally.

6 **Q.** With respect to whether they are operational
7 now or when they are expected to be operational, do you
8 have information about that?

9 **A.** It's my understanding they are not operational
10 now but under construction and have a not too distant
11 start-up time, but I don't know the specifics.

12 **Q.** So the scrubbers would be 2009 or later;
13 correct?

14 **A.** That's my understanding.

15 **Q.** And for what period of time were you
16 recommending that the utility should have purchased and
17 delivered Spring Creek coal to Crystal River 4 and 5?

18 **A.** In reality I said they should have bought it
19 in 2005 and 2006. We're only focused on 2006 here
20 though.

21 **Q.** Would -- if they have delivered Spring Creek
22 coal in 2005 and 2006, would that have interfered with
23 anything the scrubbers are doing in 2009?

24 **A.** No.

25 **Q.** Mr. Burnett asked you some questions about the

1 unloading rates at IMT. Do you recall those questions?

2 **A.** I do.

3 **Q.** What is your understanding of the value or the
4 rate that Progress Energy contends should be applied to
5 calculate an adjustment to your refund amount?

6 **A.** How many dollars do they say should be
7 adjusted?

8 **Q.** No. What rate, what unloading rate did they
9 use and what does it represent, if you know? Tons per
10 hour is what I'm talking about.

11 **A.** I'm not sure. The number 12,000 tons per day
12 is the number that sort of is in my head.

13 **Q.** If that is the maximum that's guaranteed by
14 the terminal, does that necessarily mean that's all they
15 can do?

16 **A.** No. You normally would guarantee something
17 that you are absolutely certain you can do that would
18 have some upside opportunity.

19 **Q.** You were asked about the low sulfur content of
20 the Indonesian coal. If you know, what is the sulfur
21 content of the Indonesian coals that were offered by
22 Adaro and Kideco?

23 **A.** One of them was one percent and the other one
24 was one and a half percent sulfur.

25 **Q.** And would they be blended 20/80 with the

1 bituminous coal?

2 **A.** Yes, as required by the Commission.

3 **Q.** Would the blending of the Indonesian coal
4 containing the low sulfur content, would the bituminous
5 have an effect on the overall average of the sulfur
6 content?

7 **A.** Yes. If you blend the coal, you get a
8 different blended average.

9 **Q.** And have you calculated what the blended --
10 what the sulfur content of the blended coal would be?

11 **A.** Yes, I have, and that's attached to the
12 earlier handout.

13 **Q.** Tell the Commissioners what you did in that
14 regard.

15 **A.** This is Page 2 of -- I'm not sure what the
16 number of that was. It was the same sodium blending a
17 minute ago.

18 **CHAIRMAN CARTER:** Exhibit 58, the one you told
19 us to take apart.

20 **THE WITNESS:** No. Fifty-eight has got a cover
21 sheet.

22 **CHAIRMAN CARTER:** Okay. Got it.

23 **THE WITNESS:** It says cross-examination
24 exhibit and then it has got two pages under that.

25 **CHAIRMAN CARTER:** That is not 58.

1 **MS. BENNETT:** It's 57.

2 **CHAIRMAN CARTER:** Okay.

3 **THE WITNESS:** Now we are looking at Page 1 of
4 that. It says effect of blending sulfur in different
5 coals. All right. This is, again -- here sulfur is
6 measured in percentage of the total weight of the coal.
7 Remember, sodium was total weight of the ash. This is
8 the total weight of the coal. We are, again, trying to
9 build an 80/20 blend of sub-bituminous coal using
10 800,000 tons and 200,000 tons.

11 I looked at two cases. Case 1 has a CAPP coal
12 sulfur of .74 and a sub-bituminous coal of .32. This
13 would be more in line with a Spring Creek coal from the
14 sulfur standpoint, and you would blend that and you will
15 end up with tons of sulfur for the CAPP coal of
16 5,920 tons of sulfur blended with the sub-bituminous
17 coal of 640,000 -- 640 tons of coal, and so the blend of
18 that 1 million tons is .66 percent sulfur.

19 Case 2 is more a sub-bituminous Indonesian
20 coal still blending with that CAPP coal of .74 percent,
21 but now the sub-bituminous coal is .1. You blend that.
22 And, again, this time for the sub-bituminous coal you
23 only produce 200 tons of sulfur. You add that, divide
24 that by the 1 million tons of coal and you get a blend
25 of .61 percent sulfur.

1 **Q.** To be clear, Mr. Putman, in each of these
2 scenarios have you chosen values for the CAPP coal that
3 would be representative of the bituminous coal with
4 which the sub-bituminous coal would be blended at
5 Crystal River 4 and 5?

6 **A.** Yes.

7 **Q.** For Case 1, have you chosen a sulfur value
8 that would be typical of Powder River Basin coals that
9 would be blended 20/80?

10 **A.** Yes. Of Powder River Basin coal, yes.

11 **Q.** And in Case 2, have you included the sulfur
12 content that was specified by the producers of the
13 Indonesian coal that bid into the 2006 RFP?

14 **A.** For one of the bids, yes. One of them was .1
15 and the other was .15, yes.

16 **Q.** And what is the effective blended content of
17 sulfur with the more typical PRB coal as blended?

18 **A.** .66 percent.

19 **Q.** And what is the corresponding effective
20 blended content of sulfur when CAPP coal is mixed with
21 the Indonesian at 20/80?

22 **A.** .61 percent.

23 **Q.** Now, as I understand it, the concern with
24 respect to the very low sulfur content of the Indonesian
25 coal is that it could be too low and, therefore, could

1 have a deleterious impact on the operation of the
2 precipitator, is that correct?

3 **A.** That's correct.

4 **Q.** Are you familiar with the range of sulfur
5 content that the precipitators installed at Crystal
6 River 4 and 5 can accommodate?

7 **A.** Again, they designed the precipitators in a
8 conservative manner. They built a large box, a large
9 box means that air flow has more time to pass through
10 the precipitator, more time to be exposed to the
11 electrostatic field, more time for ash to be collected.
12 So that was the design. As I sit here, I don't know the
13 exact number of the range for sulfur, but it was a wide
14 range from a very low to a higher sulfur coal.

15 **Q.** Would the values .66 and .61 fall within the
16 range, to your knowledge?

17 **A.** Yes.

18 **Q.** Now, one asks what is the ash --

19 **A.** Actually, I now have a piece of information.

20 **Q.** Okay.

21 **A.** Looking at the designed fuel, the designed
22 fuel for the blend was a sulfur of .49 percent. So this
23 coal at .61 and .66 hundredths is a higher sulfur than
24 this was designed to handle. Again, the problem is if
25 you go lower than the design then you would have some

1 problems. If you were above that, then the precipitator
2 will perform adequately.

3 **Q.** What is the ash content of the Indonesian
4 coals that were offered?

5 **A.** Extremely low. The ash for the PT Adaro was
6 1.2 percent, for the Kideco, PT Kideco it was 3 percent.

7 **Q.** And what would be corresponding more typical
8 ash content of either the CAPP coal or a typical PRB
9 coal?

10 **A.** A typical PRB is going to be around 5 to 6
11 percent, a CAPP coal is going to be in the 8 to
12 12 percent range.

13 **Q.** What is the significance, if any, of the far
14 lower ash content of the Indonesian coal for performance
15 in the boiler and the performance of the precipitator?

16 **A.** Lower ash is extremely valuable in a coal. We
17 talked about that sodium is a measure of percent of the
18 ash. So if you start with a smaller quantity of ash,
19 even if you have the same percent, it's going to be less
20 sodium to stick on the walls of the tubes and cause
21 problems. So lower ash removes all the bad stuff that's
22 in coal, and so it is very valuable. You don't have to
23 collect it in the precipitator, because that is what
24 precipitators do is collect the ash. There is a lot
25 less to be collected. You have to dispose of ash. You

1 have got to build a pond to put it in. Lots of costs
2 involved with dealing with ash, so less is extremely
3 valuable.

4 Q. You were shown a document which I believe was
5 in the nature of a news article or a press article to
6 the effect that the Scherer unit plan to test some
7 Indonesian coal. Do you recall seeing that?

8 A. Yes, I have it in front of me.

9 Q. And you inquired as to the publication date.
10 What is the significance of that?

11 A. Again, timing is everything. It has to do
12 with when they were going to be trying to buy coal, when
13 they were going to try to test coal, and put it into a
14 perspective of this case. And to my understanding it
15 was August of 2005.

16 Q. Does the idea that Scherer would test the
17 Indonesian coal surprise you?

18 A. No, it doesn't. Again, it's a reaction to a
19 problem in the market. We have heard about delivery
20 problems out of the Powder River Basin in 2005 that ran
21 over into 2006. And because of that, domestic delivery
22 risk, risks exists everywhere, it was necessary for
23 Plant Scherer to either run out of coal or to buy a coal
24 similar to what they were used to burning. The other
25 choice is they could have gone back to bituminous coal.

1 They liked burning the sub-bituminous coal, so they went
2 out and bought a relatively short-term period
3 sub-bituminous coal that they were familiar with and
4 they burned it. They did pay a premium for that, but
5 they paid the premium as opposed to running out of coal.
6 So if you're hungry, you'll pay a price.

7 Q. You mentioned that this publication date was
8 August 2005, is that correct?

9 A. That's correct.

10 Q. And what was the time of the -- timing of the
11 disruptions in the deliveries from the west?

12 A. Similar. Most of 2005, and had some impact in
13 2006.

14 Q. And when did Scherer begin to burn the
15 Indonesian coal, if you know?

16 A. My review of the 4/23 data says in January of
17 2006 is when they began receiving it.

18 Q. Based upon the publication date of that
19 article and the date when they began to burn the
20 Indonesian coal, what do you infer about the time
21 required to test the Indonesian coal at Scherer before
22 beginning to burn it?

23 A. A relatively short time period to go from
24 apparently leaking to the public that they were looking
25 at it to when they actually had it received and burning

1 it, a relatively short time. It doesn't say anything
2 about how long a particular test burn took in days once
3 they had coal there.

4 Q. What is the relationship, if any, between the
5 disruptions in deliveries they were experiencing from
6 western coals, on the one hand, and the price they paid
7 that Mr. Burnett showed you on the other hand?

8 A. Well, the sheet he handed out, which I don't
9 disagree with, they were spending four or five dollars
10 per million Btu when their normal price would be more
11 like \$2 per million Btu.

12 Q. The fact that they paid that in 2006, does
13 that obviate, in your opinion, the legitimacy of the
14 lower bid that was submitted to Progress Energy in
15 February of 2006?

16 A. What it says to me is that there was a great
17 opportunity for a low price buy in February 2006 that
18 did not exist -- I mean, February -- yes, February 2006
19 that didn't exist later on.

20 Q. Mr. Burnett asked you some questions about
21 particular aspects of the equipment at Crystal River 4
22 and 5, and asked you if you had done an analysis, and
23 you said that you had performed some analysis after your
24 deposition. With respect to the analysis that you
25 performed, what documents did you review for that

1 purpose?

2 **A.** I looked at some B&W design information, a
3 summary of the design of the plant.

4 **MR. McGLOTHLIN:** I'd like to distribute that
5 to the Commissioners at this point.

6 **CHAIRMAN CARTER:** By the way, Mr. McGlothlin,
7 we are fast coming upon the hour that we were going to
8 be taking a break for lunch. How much more do you have
9 to cover there?

10 **MR. McGLOTHLIN:** I'm nearing the end.
11 Probably another ten minutes, fifteen at the most.

12 **CHAIRMAN CARTER:** Well, then we are not going
13 to be able to accommodate that before lunch. This seems
14 like a good enough breaking point, and we'll come back
15 at 2:00. But, before we go, I just wanted to admonish
16 everyone that we will finish today. We don't have
17 another day on the calendar. So let's be prepared
18 and -- you know, let's be prepared to do it. We are
19 going to get it done today.

20 So with that, Commissioners, we will come back
21 at 2:00 o'clock.

22 (Lunch recess.)

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25


STATE OF FLORIDA)
 :
) CERTIFICATE OF REPORTERS
COUNTY OF LEON)

WE, JANE FAUROT, RPR, and LINDA BOLES, RPR, CRR, Official Commission Reporters, do hereby certify that the foregoing proceeding was heard at the time and place herein stated.


IT IS FURTHER CERTIFIED that we stenographically reported the said proceedings; that the same has been transcribed under our direct supervision; and that this transcript constitutes a true transcription of our notes of said proceedings.

WE FURTHER CERTIFY that we are not a relative, employee, attorney or counsel of any of the parties, nor are we a relative or employee of any of the parties' attorneys or counsel connected with the action, nor are we financially interested in the action.

DATED THIS 29th day of April, 2009.



JANE FAUROT, RPR
Commission Reporter
(850) 413-6732



LINDA BOLES, RPR, CRR
Commission Reporter
(850) 413-6734